

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

[REDACTED]
600 BELVEDERE TERRACE
SALISBURY, MD 21804
PLAINTIFF

v.

[REDACTED], M.D.
522 CYNWOOD DRIVE
EASTON, MD 21601

AND

DICKINSON MEDICAL GROUP, LLC
(A DELAWARE CORPORATION)
800 NORTH DUPONT HIGHWAY
MILFORD, DE 19963
DEFENDANTS

IN THE
ORIGINAL

CIRCUIT COURT

FOR

TALBOT COUNTY

CASE NO.:

20-C-05-005540 MM

Deposition of [REDACTED], M.D., taken pursuant to notice before Robert Wayne Wilcox, Jr., Registered Professional Reporter and Notary Public, in the offices of Dickinson Medical Group, 800 North DuPont Highway, Milford, Delaware, on Tuesday, May 30, 2006, beginning at approximately 5:10 p.m., there being present:

APPEARANCES:

RODNEY M. GASTON, ESQUIRE
MILLER & ZOIS, LLC
Empire Towers - Suite 1001
7310 Ritchie Highway
Glen Burnie, Maryland 21061
for Plaintiff,
410-553-6000



1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

APPEARANCES (CONT'D):

DENNIS D. FERRI, ESQUIRE
MORRIS, JAMES, HITCHENS & WILLIAMS LLP
222 Delaware Avenue
10th Floor, P.O. Box 2306
Wilmington, Delaware 19801-2306
for Defendants.
302-888-6800

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

[REDACTED], M.D.,

the witness herein, having first been
duly sworn on oath, was examined and
testified as follows:

([REDACTED] Deposition Exhibit Nos. 1
through 4, respectively, were marked for identification.)

BY MR. GASTON:

Q. Doctor, my name is Rodney Gaston. I'm an
attorney. I represent Ms. [REDACTED] in the action that's
currently pending in the Circuit Court for Talbot County.

Have you had your deposition taken
before?

A. Yes.

Q. Okay. I'll just go over a few of the rules,
but you probably already know them. The court reporter
is taking down everything we say. I would ask that your
responses be verbal. The court reporter can't take down
a shake of the head or a nod. Also if I ask you a
question and you don't understand, please stop me and let
me know; otherwise, we'll assume that you understand all
of the questions and your answers are in response to the
questions. And if you have to take a break at any time,

1 please let me know, and we'll take a break.

2 A. Okay.

3 Q. All right. Doctor, has anything changed on
4 your curriculum vitae that was attached to the answers to
5 interrogatories?

6 A. Can I see the one that you have?

7 Q. Sure.

8 A. Well, this is -- this one isn't mine.

9 Q. Oh, I'm sorry. Excuse me.

10 Actually, I asked you to bring a copy of
11 yours with you.

12 MR. FERRI: We have it. It's in there.

13 THE WITNESS: Okay.

14 BY MR. GASTON:

15 Q. Okay. May I have that copy?

16 A. Yeah, sure.

17 Q. Okay.

18 A. The only thing that might have changed is I've
19 given a -- I've probably given a couple of lectures.

20 Q. What? Since then?

21 A. I've probably given two -- a couple more
22 seminars. The last one was "Complications in Total Knee

1 Replacements" at the American Association -- American
2 Orthopaedic RN annual meeting, May 10th, 2006.

3 Q. Okay. I'm just going to refer to your copy.

4 MR. GASTON: All right. If I can have
5 that, I'll have that marked as the next exhibit, please.

6 ([REDACTED] Deposition Exhibit No. 5 was
7 marked for identification.)

8 BY MR. GASTON:

9 Q. Okay. Doctor, we've just marked your
10 curriculum vitae as Exhibit No. 5. Is that accurate?

11 A. Yes.

12 Q. Okay. Thank you.

13 Please state your name and address.

14 A. [REDACTED], M.D., 800 North DuPont
15 Highway, Milford, Delaware 19963.

16 Q. And your date of birth?

17 A. 6/28/54.

18 Q. Okay. Doctor, in this case we've asked you to
19 submit certain answers to interrogatories. I want to
20 show you what's been marked as Exhibit No. 2 and Exhibit
21 No. 1. I'm going to ask if you remember answering those
22 questions.

1 A. Yes.

2 Q. Has anything changed since the time that those
3 questions were answered, and/or do you want to make any
4 changes to those answers?

5 A. Has anything changed? No. And I don't wish
6 to make any changes.

7 Q. Okay. Were those answers correct when you
8 answered them?

9 A. Yes.

10 Q. Okay. And the same with respect to the
11 answers to interrogatories for the Dickinson Medical
12 Group?

13 A. Yes.

14 Q. Okay. Thank you.

15 Doctor, has your license to practice
16 medicine ever been restricted, suspended or censored?

17 A. No.

18 Q. Okay. Have you ever had any of your
19 privileges at any hospitals or medical facilities
20 suspended or restricted or revoked?

21 A. No.

22 Q. Doctor, do you hold yourself out as a

1 highly-skilled and experienced orthopaedic surgeon?

2 A. Yes.

3 Q. Do you also hold yourself out as an
4 orthopaedic surgeon that has a specialty in joint
5 replacements?

6 A. Yes.

7 Q. Over the course of your medical profession,
8 can you tell me approximately how many knee replacements
9 you have performed?

10 A. 2,000.

11 Q. 2,000.

12 Doctor, over the course of your medical
13 profession, can you tell me the names of your insurance
14 carriers?

15 MR. FERRI: Is that relevant?

16 MR. GASTON: Yes.

17 A. I can't remember all of them, but one of them
18 was NCRIC.

19 Q. NCRIC? Do you know where they were located?

20 A. No.

21 MR. FERRI: That's the current carrier.

22 BY MR. GASTON:

1 Q. That's your current carrier.

2 How long have you been with NCRIC?

3 A. A year.

4 Q. Okay.

5 A. I'm just guessing. I don't really know.

6 Q. All right. Do you know the carrier that was
7 in place at the time this incident happened in December
8 of '03?

9 A. No.

10 Q. Any way we can find that out?

11 MR. FERRI: Yeah. For the record, it's
12 NCRIC.

13 BY MR. GASTON:

14 Q. Okay. Doctor, do you know who your previous
15 carrier was?

16 A. Was it MedChi? I don't know who the previous
17 one was.

18 Q. Okay. How many years have you been practicing
19 medicine?

20 A. Twenty-one.

21 Q. Do you hold licenses in Maryland and Delaware?

22 A. Yes.

1 Q. Any other state?

2 A. Georgia.

3 Q. Any other state?

4 A. No.

5 Q. Okay. Doctor, have you ever had any prior
6 medical malpractice claims filed against you?

7 A. Yes.

8 Q. Can you tell me how many and the years?

9 A. Two main ones. And I was named in a
10 malpractice case maybe ten years ago where a patient died
11 from unrelated orthopaedic problems. And every doctor
12 that seen her was named. I saw this patient as -- in
13 consultation for a failed hip replacement. And I was
14 dropped from the case after they reviewed information.

15 Q. Okay. That was one.

16 What about the other one?

17 A. The second one was a case where I repaired a
18 crushed finger, or a mallet finger, and the patient ended
19 up with a necrosis of her fingertip and from --
20 presumably from the crush injury. And I won that case.

21 Q. Did that matter go all the way to trial?

22 A. Yes.

1 Q. What state was that in?

2 A. Maryland.

3 Q. Maryland.

4 Do you know how many years ago?

5 A. Probably five.

6 Q. Five years.

7 So those were the only two medical
8 malpractice claims that have been brought against you
9 during the entire time that you've been practicing
10 medicine as a doctor?

11 A. No. The other one -- there was another one.

12 Q. Okay.

13 A. It was what's called a tibial tubercleplasty
14 or Fulkerson -- that's F-u-l-k-e-r-s-o-n -- procedure.
15 The patient developed an infection and claimed that I
16 hadn't treated the infection quickly enough. They said I
17 treated her seven days instead of five. That one
18 settled.

19 Q. Settled.

20 How long ago was that case?

21 A. That was about three -- maybe three years ago.

22 Q. Three years.

1 Was that case in Maryland?

2 A. Yes.

3 Q. Do you know who the plaintiff's attorney might
4 have been in that case?

5 A. I can't remember his name.

6 Q. Okay. You had your deposition taken before.

7 Would it be in one of the three cases we
8 just talked about?

9 A. Yes.

10 Q. Have you ever testified as an expert witness
11 in any medical malpractice case?

12 A. In court?

13 Q. Where you might have given a deposition in a
14 case that may have settled or may have went to court.

15 A. Yes.

16 Q. Can you tell me how many times?

17 MR. FERRI: Are you talking about in
18 medical negligence cases?

19 MR. GASTON: Medical malpractice cases.

20 MR. FERRI: A malpractice case.

21 MR. GASTON: Mm-hmm.

22 A. Let me think.

1 Can I just say what they were?

2 Q. Well, do you know what state they were in?

3 A. One was in Maryland.

4 Q. In Maryland.

5 Now, did you testify on behalf of the
6 patient or behalf of the doctor who was sued?

7 A. The doctor.

8 Q. Okay. What doctor was that?

9 A. Wilson Choi.

10 Q. C-h-o-i?

11 A. Yeah.

12 Q. Where is Dr. Choi located?

13 A. Lewes, Delaware.

14 Q. Okay. Do you remember what year that was
15 where you provided deposition testimony on behalf of
16 Dr. Choi?

17 A. It was roughly 1990.

18 Q. 1990.

19 Did that case --

20 A. Let me think. Hold on a second.

21 Q. Okay.

22 A. It's 2006. Probably about 1990.

1 Q. Did that case ever go to trial?

2 A. No.

3 Q. Okay. Any other cases?

4 A. I reviewed a case on a lady who had a knee
5 replacement -- hip and a knee done in D.C. that was --
6 ended up with an amputation. And I gave a deposition on
7 that case. And that did not go to trial. That settled.

8 Q. Do you know what doctor you testified on
9 behalf of?

10 A. Oh, no. I was the plaintiff's expert on that
11 one.

12 Q. Oh, okay.

13 Do you know the lawyer who asked you to
14 come to court for the patient on that case?

15 A. No.

16 Q. Don't remember. Okay.

17 MR. FERRI: By the way, Choy is C-h-o-y.

18 MR. GASTON: Oh, I'm sorry.

19 MR. FERRI: That's all right.

20 MR. GASTON: Thank you.

21 BY MR. GASTON:

22 Q. Any other cases, Doctor?

1 A. Depositions? I can't remember any offhand. I
2 have reviewed other records for -- I reviewed a couple
3 other records for plaintiffs' attorneys and have -- I can
4 think of two cases that I've reviewed and I determined
5 that I didn't think negligence was involved. And I
6 advised the attorneys to that effect.

7 Q. I'm more interested in the cases where you had
8 to give sworn testimony under oath. Other than the ones
9 you talked about, are there any additional ones?

10 A. No. Not that I can remember.

11 Q. Okay. Do you intend to offer any opinions at
12 trial in the event that you testify?

13 MR. FERRI: Yes.

14 MR. GASTON: Okay.

15 MR. FERRI: I can answer that one.

16 BY MR. GASTON:

17 Q. Okay. The next question is: Can you tell me
18 all the opinions that you are going to offer at trial?

19 A. You have to ask -- yeah, I can, but I have to
20 be asked a question first.

21 Q. Well, I need --

22 MR. FERRI: You're talking about in

1 terms of --

2 MR. GASTON: Any opinions.

3 BY MR. GASTON:

4 Q. When your lawyer asks you, Doctor, do you have
5 an opinion within a reasonable degree of medical
6 probability XYZ, I need to know what are those
7 opinions -- what you're going to testify to in this case.

8 MR. FERRI: He wants to know, for
9 example, if you're going to testify on your standard of
10 care and whether or not you met standard of care and
11 issues dealing with what did or didn't cause injury in
12 this case and the extent of damages. That type of --

13 THE WITNESS: Yes.

14 MR. FERRI: -- testimony.

15 BY MR. GASTON:

16 Q. Okay. Can you tell me what these opinions
17 are, Doctor?

18 A. Well, I'll just -- it's my opinion that I
19 didn't -- did not breach the standards of care in any way
20 in the care of this patient.

21 Q. Okay. Any other opinions?

22 A. It's my opinion that the last knee revision

1 surgery that she had was unnecessary.

2 Q. That's the total knee replacement performed by
3 Dr. Petrera?

4 A. Yes.

5 Q. Have you had an opportunity to review those
6 medical procedures from Dr. Petrera pertaining to that
7 last knee replacement?

8 A. Yes.

9 Q. Okay. Doctor, why in your opinion is that
10 last knee replacement unnecessary? Why was it
11 unnecessary?

12 A. Because I disagree with his opinions as to why
13 her -- this patient had a painful knee and disagree with
14 his opinions as to sizes of components and joint level
15 and as to what was causing her pain. I think Dr. Petrera
16 was -- I think that he made some bad decisions.

17 Q. Okay. What is your opinion as to what was
18 causing her painful knee that you disagreed with
19 Dr. Petrera?

20 A. That his -- anywhere from 3 to 5 percent of
21 patients who have had knee replacements develop a painful
22 knee. Sometimes stiff, sometimes not. But there's a

1 certain percentage of patients who do not get a great
2 result after a knee replacement. It doesn't matter
3 whether it's Dr. [REDACTED], Dr. Petrera, whether it's done
4 at Harvard Hospital for Special Surgery, Hopkins,
5 Stanford. There are certain -- no matter how many you've
6 done or how good you are, how perfect you do the surgery,
7 there are a certain percentage of patients who do not get
8 a good result.

9 Q. Okay. That just tells me that there's a
10 percentage of patients who will have a painful knee. But
11 what about [REDACTED] case? Why do you disagree
12 as to what Dr. Petrera says about why she had a painful
13 knee? That's what I'm getting at.

14 A. Well, there's a couple of reasons. One is I
15 don't agree -- I was the one that did the surgery. I was
16 there. And having done a couple thousand total knee
17 replacements, I know when a component is the right size
18 and when it's not.

19 And the fact that she did not get
20 complete relief of her pain after he did her revision --
21 last revision surgery tells me that perhaps -- tells me
22 that the component -- his allegation the components were

1 too big was not the cause. Otherwise, she would have had
2 a great result after the surgery with no -- with complete
3 relief of her pain.

4 Q. Do you have an opinion as to what is the cause
5 of her pain now if it wasn't the incorrect component
6 size? What do you think is causing the pain now?

7 A. There's -- some people develop scar after a
8 knee replacement surgery. And it's painful. That's my
9 opinion.

10 Q. With respect to Ms. [REDACTED], do you have any
11 specific opinion as to what is causing her continued
12 complaints of pain now?

13 A. There's a certain percentage of patients that
14 you never know. There's no answer to that.

15 Q. Okay. Thank you. There's no answer.

16 Let's see. Now, you said his surgery
17 was unnecessary. Why do you think it was unnecessary in
18 light of her complaints she had at the time she saw him?

19 A. Because she was improving. It had been less
20 than a year since she had had the surgery. And anybody
21 who does a lot of knee replacement surgeries will know
22 that patients get better for a whole year. It's prudent

1 to wait, because I've seen scores of patients who've had
2 stiff and painful knees at three months, six months that
3 come back in a year with a good result.

4 Q. How --

5 A. And I just think that, you know, his concept
6 that the components were too big was -- and that's what
7 was causing the pain was wrong.

8 Q. Okay.

9 A. I don't agree with him.

10 Q. In your opinion, what is the period of time
11 that Dr. Petrera should have waited before he did the
12 total knee replacement again?

13 A. I don't think it was ever indicated to do a
14 revision in her -- another revision.

15 Q. It was not ever indicated?

16 A. No. Because I don't think her components were
17 too big.

18 Q. Okay. Any other opinions that you intend to
19 elicit at trial?

20 A. No. Not unless I'm actually asked to give
21 opinions. Then I'll be happy to give my opinion.

22 Q. Put it this way: The reason I'm asking you

1 all these is because -- the reason we take the deposition
2 is so there's no surprises -- so that we know -- when you
3 come to trial I know what your opinions are going to be.

4 Other than what you told me, do you have
5 any other opinions that you intend to give at trial?

6 A. No.

7 MR. FERRI: Do you want me to ask a few
8 questions now or do you want me to wait until the end
9 while we'll on this?

10 MR. GASTON: You can assist with the
11 question.

12 MR. FERRI: Okay. Are you going to
13 offer any opinion with regard to whether or not
14 Mrs. [REDACTED] fell within that category of the 3 to 5
15 percent of patients who wind up with a painful knee?

16 THE WITNESS: I would say that that
17 was -- she falls into that category at 3 to 5 percent --
18 patients who get a bad result.

19 BY MR. GASTON:

20 Q. Can you explain why you believe she falls into
21 that category?

22 A. Because she had a knee that still hurt. Her

1 range of motion was not that bad before she had her last
2 revision. I can't remember what it was off the top of my
3 head, but it had been improving for quite some time.

4 And the two reasons I give that opinion
5 is: No. 1, I was the one that put the knee in, and I
6 know it was the right size, because I was there. And
7 that's what I'm trained to do. And No. 2, she did not
8 get a great result after the last revision. And if
9 indeed the components had been oversized and that had
10 been the cause of her pain, then you would have
11 anticipated that she would have had a pain-free knee
12 afterwards.

13 Q. Even with all the prior surgeries she had you
14 would anticipate a pain-free knee?

15 A. Oftentimes, you can do a third -- you know, do
16 a third revision and have a patient with a knee that
17 doesn't hurt.

18 Q. Okay.

19 A. But if you're operating on a patient who just
20 fits into that category of a bad result as a stiff and
21 painful knee, you can operate on them a hundred times and
22 they're still going to have a bad result.

1 Q. Okay.

2 A. The mistake is to operate on somebody like
3 her -- when they have a stiff and painful knee is to
4 operate on her again. And I think that's what was the
5 mistake -- to operate on her the last time.

6 MR. GASTON: Go ahead.

7 MR. FERRI: I have two more areas.

8 Are you going to offer any opinion as to
9 whether or not you used a proper tibial insert in this
10 case?

11 THE WITNESS: Yes. And I'm sure it was
12 the right size.

13 MR. FERRI: Okay. Are you going to
14 offer any opinion as to whether or not the joint line was
15 proper?

16 THE WITNESS: It was -- the joint line
17 was proper.

18 MR. FERRI: And the last one: Are you
19 going to offer any opinion as to what you think, if
20 anything, should have been done for Mrs. [REDACTED] had she
21 continued to treat with you?

22 THE WITNESS: My plan -- and I believe

1 she left my practice at about three months after her last
2 surgery. I could be off on -- a little bit on that. But
3 I think it was about three months.

4 My plan was to wait, because a lot of
5 times if you wait the patients get better. And if she
6 did not improve, my plan was to look in her knee with an
7 arthroscope and release the scar tissue, which is a much
8 more benign surgery than a revision. It's an outpatient
9 surgery. Recovery is a whole lot quicker. You don't
10 have the blood loss. It's just a much more benign
11 surgery. And many of those patients with stiff knees
12 will get better just from that.

13 And I know I've probably done 30 or 40
14 of those. Maybe 50. Now some of my own patients, some
15 other doctor's patients. And I'm actually considered,
16 more or less, an expert in that area. As you can see
17 from my CV, I've been invited to give that talk --
18 arthroscopic lysis of adhesions -- on multiple occasions.
19 I've given that talk at a bunch of meetings as recently
20 as last summer.

21 And my plan was to give her at least
22 another three months. And if she wasn't happy with her

1 range of motion -- was to perform an outpatient lysis of
2 adhesions in hopes of improving her range of motion.

3 Now, if I thought that her components were too big, then
4 I would have considered revising her knee. But having
5 put them in, I knew that they weren't.

6 MR. FERRI: I'll just finish with one
7 other. I'm sorry. I forgot.

8 Are you going to offer any opinion with
9 regard to the release of the popliteus tendon?

10 THE WITNESS: I think that's -- any
11 surgeon who's done a lot of knee replacements will do
12 that. Some surgeons release the popliteus tendon every
13 time they do a knee replacement. My partner, Dr. Quinn,
14 is one of those surgeons. He's released the popliteus
15 tendon in every knee he's done in the last 25 years
16 because you do occasionally get an impingement of the
17 tendon with a snapped -- tendon with a snapping tendon,
18 which can with be painful.

19 Me personally, I've always left it
20 intact. And if it causes snapping, I've released it.
21 Sometimes you release it right at the time of surgery.
22 And I've actually had to go in on a couple of knees -- as

1 least one knee -- and released the tendon after the
2 surgery had been done through the arthroscope.

3 MR. GASTON: Any other opinions?

4 MR. FERRI: No.

5 BY MR. GASTON:

6 Q. Okay. Doctor, do you know how far the joint
7 line was raised in Ms. [REDACTED] case after you did your
8 surgery?

9 A. It was pretty close to the original joint
10 line. And I'll tell you that -- there's two reasons I
11 say that. One is, when you make the femoral cut, the
12 jigs are standard. You put a drill hole in the femur,
13 put a guide that goes down the femoral canal. And
14 there's a cutting guide attached to the end of that. And
15 it only allows you to take off a certain amount of bone.
16 It says 0, 2 and 4, and, you know, I always make the cut
17 at zero. So I remove the same amount of bone every time.
18 And so I know that the femoral component was right where
19 it was supposed to be.

20 I can take my postoperative x-rays and
21 compare that to the preoperative x-rays, and I can see
22 that the joint line is the same place it was before.

1 It's acceptable to adjust a joint line by a few
2 millimeters. Sometimes if you release the posterior
3 cruciate ligament you can even adjust it by as much as a
4 centimeter and it shouldn't make any difference as far as
5 causing limited motion or pain. And I can tell you that
6 her -- from having done her surgery, looked at her x-rays
7 and knowing how I did the surgery, the joint line is well
8 within the accepted levels.

9 Q. You said the joint line before was the same as
10 the joint line after?

11 A. Yeah.

12 Q. Is that correct?

13 A. Yeah.

14 Q. Okay. You used a setting of zero?

15 A. Yes.

16 MR. GASTON: Okay. All right. Any
17 other opinions?

18 MR. FERRI: I don't believe so.

19 MR. GASTON: Okay.

20 BY MR. GASTON:

21 Q. All right. Doctor, did you bring
22 Ms. [REDACTED] medical chart with you today?

1 A. Yes.

2 Q. I'm going to ask you some questions about the
3 surgery. You can feel free to open up to the surgical
4 note. Doctor, what was the date of the surgery on
5 Ms. [REDACTED] left knee?

6 A. Which one?

7 Q. The December surgery.

8 A. The last one?

9 Q. Yes, sir.

10 A. The last one that I did -- 12/10/03.

11 Q. Okay. Doctor, prior to that surgery, did you
12 do what would be considered a partial knee replacement --

13 A. Yes.

14 Q. -- on that same knee?

15 A. Yes.

16 Q. I asked you to bring some x-rays to the
17 deposition today -- what has been marked as [REDACTED]
18 No. 3. I will ask if you can identify that x-ray.

19 A. Yes.

20 Q. What is that, Doctor?

21 A. That's the left knee with a unicondylar
22 arthroplasty.

1 Q. Is that x-ray of Ms. [REDACTED] knee?

2 A. Yes, it is.

3 Q. Doctor, from the date on the film, when was
4 that taken?

5 A. May 6th, 2003.

6 Q. Okay. I show you what's been marked as
7 [REDACTED] No. 4. I'll ask you if you can identify that
8 x-ray.

9 A. Yes. It's a left knee with a unicondylar
10 arthroplasty dated September 23rd, 2003.

11 Q. Okay. Both of Ms. [REDACTED] left knee.
12 Correct?

13 A. Yes.

14 Q. Doctor, did you do the unicondylar replacement
15 that's depicted in that surgery?

16 A. Yes, I did.

17 Q. Do you remember how soon before the
18 December 10th, '03 full knee replacement that you did the
19 unicondylar surgery? How many months?

20 A. The question again?

21 Q. How many months before the December 10th, '03
22 surgery did you perform the unicondylar surgery?

1 A. Oh. When was that unicondylar arthroplasty
2 done?

3 Q. Mm-hmm.

4 A. Let me look it up for you. October 28, 2003.

5 Q. And the full knee replacement was done two
6 months later?

7 A. 12/10/03. Let me check. Let's see. No. I'm
8 sorry. The dates -- I'm wrong.

9 Q. Okay.

10 A. The date of the unicondylar arthroplasty was
11 4/21/03.

12 Q. Okay. Doctor, normally how long is a
13 unicondylar joint replacement supposed to last? The
14 average.

15 A. Ten years, if they work.

16 Q. Okay. Let me ask you this question: Why did
17 you decide to do the total knee replacement?

18 A. Well, she had troubles with her -- the
19 unicondylar -- continued to complain of pain after that
20 surgery. And I actually -- one of the causes for failure
21 of a unicondylar is that patients develop a
22 patellofemoral disease -- arthritis under the kneecap.

1 And I actually looked at her knee with an arthroscope on
2 September 26th of '03 and found that she had developed
3 arthrosis of the patellofemoral joint.

4 Q. Is that noted in one of your operative notes,
5 Doctor?

6 A. Yes.

7 Q. Which operative note is that?

8 A. The arthroscopic surgery from 9/26 of '03.

9 Q. 9/26/03.

10 Did she have the same type of disease
11 when you put the unicondylar joint in?

12 A. No. It looked like -- if you go back to my
13 operative report from then -- if I can find it -- it says
14 that she had a -- it said that she had arthritis.
15 Osteonecrosis of the medial tibial plateau secondary to
16 degenerative arthritis of the medial compartment. And my
17 operative note under Findings said that the rest of the
18 knee was normal.

19 Q. Okay. Why do you believe the unicondylar
20 failed?

21 A. I thought it was because of the arthritis in
22 the patellofemoral joint, which can be a cause of pain.

1 It may have been that she was one of these people who's
2 going to have a painful knee no matter what we did.
3 That's kind of what turned out -- kind of the way it
4 worked out anyway.

5 It was a beautiful unicondylar. If you
6 look at the x-rays, it looks like it came out of a
7 textbook. And it was disappointing that she had a --
8 continue to complain of pain afterwards -- disappointing
9 that she had that problem.

10 I did everything I could to help her.
11 When I looked at her knee, I tried to make it work. I
12 shaved the patella, and I did what's called a lateral
13 release to try to realign the patella some or take some
14 of the pressure off that side.

15 And at any rate...

16 Q. The lateral release, is that of a ligament?

17 A. The lateral retinaculum is a ligament on the
18 side of the knee.

19 Q. Which side? Inside or outside?

20 A. The lateral side. The outer side.

21 Q. Outer side?

22 A. Yes.

1 Q. This was done during the time of the
2 unicondylar replacement or the time of the full knee
3 replacement?

4 A. No, no. The lateral release was done when I
5 did the arthroscopy. That was the second surgery that I
6 did on her.

7 Q. Okay. Again, I don't mean to belabor the
8 question. But what was the reason you decided to do the
9 full joint replacement?

10 A. Well, after the -- after I did the
11 arthroscopic surgery where I shaved the patella and did a
12 lateral release, she continued to complain of pain. And
13 I -- the only explanation I could give was that she
14 had -- the pain was coming from the patella -- a painful
15 patellofemoral joint. And the only -- which happens
16 after unicondylar knee replacements -- is one of the
17 problems with them or one of the drawbacks. And I tell
18 patients generally when we do a partial knee replacement
19 or a Uni, as we call it, is that not all of them work
20 and that on occasion we do have to go in and convert that
21 to a complete knee replacement, which is what we did with
22 her.

1 Q. Okay. All right. Doctor, if you can refer to
2 the operative note from this surgery, I do have a couple
3 questions for you.

4 A. Which surgery?

5 Q. The total knee replacement.

6 A. The complete?

7 Q. Yes.

8 A. Okay. Let me find it again. Okay.

9 Q. On the surgical note that I have from
10 Bayhealth Medical Center, I have the surgeon as
11 Dr. [REDACTED]. That's you. First assistant, Greg
12 Sender. Who is Mr. Sender?

13 A. He's a physician assistant.

14 Q. Did anyone else assist you during that
15 surgical procedure other than Mr. Sender?

16 A. Well, there's a second assistant, which would
17 have been one of the nurses, but I can't remember who
18 that was. There's different ones all the time.

19 Q. Let me ask you this: All the decisions that
20 are made during the surgical procedure, are they the
21 decisions that you yourself alone make?

22 A. Yes.

1 Q. Okay. Doctor, what are the types of the size
2 of the curved inserts that go into the large bone in the
3 leg that you could have used in this case?

4 A. The tibial inserts?

5 Q. Yes.

6 A. The plastic liners?

7 Q. Yes. That goes up into the thigh bone.

8 A. The smallest is a 10.

9 Q. Okay.

10 A. The thinnest is a 10. It does 10, 12, 14 and
11 17, 20, 23. You can get all the way up to a 30.

12 Q. Okay. Is there a 15 in there as well?

13 A. I originally put a 15 in, and after I had --
14 was finished, I felt that it was a bit -- little bit
15 loose. So I took the 15 liner out and put a 17 in. And
16 when I checked her stability and range of motion with the
17 17, to me, it -- the knee was stable.

18 Q. Okay. Doctor, are all of these inserts
19 available for your use during the surgery?

20 A. Yes.

21 Q. All sizes.

22 So whatever size you needed, it would

1 have been available at the operating table itself?

2 A. Yes.

3 Q. Okay. Doctor, how many times did you close
4 the knee and then reopen it in Mrs. [REDACTED] case for
5 the total knee replacement?

6 A. Once.

7 Q. When did you close and reopen? At what point
8 in the surgery?

9 A. It was after I had released the popliteus
10 tendon. I felt like the knee was a little lax on the
11 lateral side. And so I -- it only takes five minutes. I
12 opened the knee up and put a trial -- first put a trial
13 17 liner in. Of course, not in the op note. But that's
14 the way -- what we routinely do is use a trial first to
15 make sure you like it -- a trial liner. And you check
16 the range of motion, stability and make sure that the
17 knee shows a full range of motion, particularly full
18 extension.

19 And it's one of those things that you --
20 you know, a lot of it comes with experience. You know,
21 after a couple thousand knee replacements, you know when
22 you've got the right size liner in for trial. And a 17

1 was just right. So we switched her to the 17.

2 Quite frankly, a lot of guys wouldn't
3 have cared. They would have just accepted a little bit
4 of instability, a little bit of laxity. I'm a
5 perfectionist -- a total perfectionist when it comes to
6 my knee replacements. Some guys probably would have even
7 left a little snapping, you know.

8 Q. Okay.

9 A. I try to make sure they're perfect.

10 Q. I want to go back to a little bit where you
11 said you put a trial 17 in but it's not noted in the
12 operative report. Are there any other procedures or
13 steps that you took in this operation that are not
14 spelled out in the operative report?

15 A. No.

16 Q. Okay. When was the trial 17 used? I'm trying
17 to figure out at what point of the surgery.

18 A. Oh, that's pretty easy. When you -- when we
19 took the 15 out -- go down to the last maybe ten lines of
20 the operative report. It says the patient had some
21 laxity because of the posterior lateral release.
22 Therefore, we opened the knee back up and removed the 15

1 curve liner and snapped in a 17-millimeter curve liner.
2 With that, the knee was stable to varus and valgus stress
3 and the popping of the tendon -- popliteus tendon had
4 been eliminated.

5 Q. I'm still trying to understand the trial.

6 It says here you removed the 15 and put
7 in a 17. Where does the trial come in? I don't
8 understand that.

9 A. Well, normally, we would have put a trial 17
10 in and tested the stability range of motion with a 17.

11 Q. Okay.

12 A. And maybe I didn't put a trial in and maybe I
13 just switched to a 17.

14 Q. Okay.

15 A. So being as it's not in the operative
16 report -- normally, that's what I do. I'm not sure how
17 else to answer that.

18 Q. Okay.

19 A. The bottom line is, with a 17 liner in, the
20 knee was stable. And I was happy with the way the knee
21 locked with a 17.

22 Q. Okay. Let me go back up a few more lines.

1 When were the devices cemented in place?

2 A. When?

3 Q. Yes.

4 A. Well, that would be just at the beginning of
5 the second page right after it says the knee was
6 irrigated with pulsatile lavage. All three components
7 were then cemented in place -- it says "and." That's a
8 typo -- cemented in place simultaneously with the knee in
9 full extension to provide for maximum bone cement
10 compression.

11 Q. Did you hear the tendon snapping at that point
12 when you flexed the knee and extended it?

13 A. After the cement hardened, yes.

14 Q. Okay. Did you hear it snapping before the
15 knee was closed?

16 A. No. Wait a minute. I'm off a little bit on
17 that. I'm just going to have to read the operative
18 report.

19 After the cement hardened, the knee was
20 flexed and excess cement was removed. That means any
21 extra cement that's gotten around the implant is taken
22 out. You use little, teeny chisels or osteotomes for

1 that.

2 And it says here a 15 millimeter curved
3 insert was then snapped into the tibial tray. The curved
4 insert is the plastic liner that's inserted into the
5 metal tray that's cemented to the tibia.

6 And then the ConstaVac drain was
7 inserted into the knee. That's a drain that we use -- I
8 use. The blood that comes out of that drain is then
9 retransfused -- later used to give back to the patient.

10 The medial capsule and retinaculum
11 was then closed. The medial capsule and retinaculum,
12 that's -- you make an incision on the medial -- or inner
13 side of the patella so that you can get inside the
14 knee -- was enclosed with interrupted No. 1 Vicryl
15 sutures. Those are just big sutures.

16 The knee was placed through a range of
17 motion. There's another typo there. It says "in range
18 of motion." Her knee was placed through a range of
19 motion. At that point we detected a snapping of the
20 popliteus tendon along the lateral border of the tibial
21 insert. And I've seen that happen before.

22 Q. Let me stop you right there.

1 At that point is the outside incision
2 stapled?

3 A. No.

4 Q. Okay. When it says "We therefore opened the
5 knee back up" --

6 A. Right.

7 Q. -- what does that mean?

8 A. Took the No. 1 Vicryl sutures out --

9 Q. Okay.

10 A. -- medial capsule and retinaculum.

11 Q. Okay. Then when it says you tried to release
12 the tendon, what do you mean when you say you "tried" to
13 release it? What do you do?

14 A. It's hard to get to it with the components in
15 place. And I couldn't get to it with the components in
16 place. So I --

17 Q. When you mean "get to it," do you mean put
18 a --

19 A. I made a mistake earlier. It looks like I
20 opened the knee back up twice. That's what you were
21 getting -- okay. I'm sorry about that.

22 Q. All right. You're doing okay so far. Let's

1 follow through. Now we're down to you're opening the
2 knee back up. You're trying to release the tendon.

3 Is "release" another word for cut?

4 A. Yes.

5 Q. Okay. So you tried to cut the tendon.

6 A. Right.

7 Q. At that point what access did you have to the
8 tendon with your scalpel?

9 A. It sits between -- if you look at a -- well, I
10 can just describe it. You got your metal femoral
11 component in and your metal tibial component. And
12 they're both cemented in place, so you can't take them
13 out. And there's a little gap there. And the popliteus
14 tendon is way in the back. And it's hard to get to it
15 with the components -- with the trials in place -- I
16 mean, with the -- after you've made the cuts and there's
17 no components in place, it's pretty easy. And I -- you
18 know, I just couldn't get to it safely.

19 Q. Were there any other ligaments or any other
20 soft tissues or tendons that you had to go through in
21 order to get to that tendon?

22 A. After -- you mean later?

4 that tendon?

5 A. No. Not other tendons.

6 Q. Any other soft tissues?

7 A. Well, the thing that gets in the way is the
8 femoral component, which is metal.

9 Q. Okay. Now, you were unable to release it.

10 Does that mean you just couldn't get to
11 it to cut it?

12 A. That's right.

13 Q. Okay. I have a question.

14 If you couldn't get to it that point,
15 you actually eventually went to it by making an incision
16 on the outside of the knee to get to it?

17 A. Yes.

18 Q. Is there a reason why you closed the knee up
19 before you cut the tendon instead of just going over and
20 cutting it and then closing it up?

21 A. Yeah. Because --

22 Q. Okay.

1 A. -- I didn't necessarily think that I was going
2 to have to put in a different liner.

3 You could have gone either way on that.

4 Q. Either way --

5 A. You could have done it with the capsule still
6 open. I just elected to go ahead and close it.

7 Q. Okay.

8 A. I didn't anticipate having to go back in the
9 second time and put the 17-millimeter liner in.

10 Q. Okay. Now, when you made a one-inch incision
11 over the posterior lateral aspect of the knee, that's the
12 outside aspect towards the back?

13 A. Yes.

14 Q. Okay.

15 (The deposition was interrupted.)

16 THE WITNESS: Do you mind if I answer
17 that?

18 MR. GASTON: We can take a break.

19 (A recess was taken.)

20 (The reporter read back the last
21 question and answer.)

22

- - - - -

1 [REDACTED], M.D., resumes

2 BY MR. GASTON:

3 Q. Okay. Did you use an arthroscopic device to
4 do that?

5 A. No.

6 Q. Tell me what you did.

7 A. Well, I just made a small incision, split the
8 tensor fascia. That makes it pretty easy to get to the
9 popliteus tendon. And then I just release the tendon.

10 Q. Okay. Now, before you did the one-inch
11 incision, did you then have to sew the inside of the knee
12 back up after you had opened it up the first time?

13 A. I didn't have to, but I did.

14 Q. You did.

15 Then after you released the tendon, I
16 note here that the patient had some laxity because of the
17 posterior lateral release. That would be the release
18 that you just performed?

19 A. Yes.

20 Q. Then you decided to open the knee back up
21 again and took out the 15 curved liner and snapped in the
22 17-millimeter curved liner. Why did you go from one

1 liner size to the other?

2 A. I felt like she could use a slightly thicker
3 insert. I felt like there was a little bit of laxity to
4 varus and valgus stress. And I made the decision to
5 put -- take the 14 out and put a 17 in. And with the 17
6 the knee felt great. And the truth is, if I had to do
7 this knee a hundred times, I probably would do it the
8 exact same way every time. And 99 times I would get a
9 good result.

10 Q. After you released the tendon and checked the
11 knee for stability, did you notice any popping of the
12 tendon at that time?

13 A. No. It was gone.

14 Q. Okay. Before going into the surgery, did you
15 inform Ms. [REDACTED] that you might have to cut one of the
16 tendons as part of the surgical procedure?

17 A. You don't specifically go over that kind of
18 detail -- that you might have to release posterior
19 cruciate ligaments, anterior cruciate ligaments,
20 popliteus tendons, subperiosteal releases. You know,
21 it's not -- it's just kind of generally considered as
22 part of the procedure that you do the necessary tendon

1 releases.

2 But we don't sit down with every patient
3 because, first of all, they're not going to understand
4 what a popliteus tendon is versus an anterior cruciate,
5 posterior cruciate tendon. So in answer to your
6 question, no, we don't go and tell any patient that we're
7 going to -- that we might specifically release particular
8 tendons.

9 Q. Other than the popliteus tendon, would there
10 be any reason in this surgery to release any other
11 tendons or ligaments in Ms. [REDACTED] knee?

12 A. Yes.

13 Q. What other tendons or ligaments was it
14 appropriate to release in Ms. [REDACTED] knee in this
15 surgery?

16 A. Well, in any knee replacement, you excise or
17 remove the anterior cruciate ligament. Then another big
18 decision in a total knee is whether or not to remove the
19 posterior cruciate ligament. And if you do remove the
20 posterior cruciate ligament, then the next question is:
21 Do you use a curved liner like I used? Do you use a
22 posterior stabilized -- posterior cruciate --

1 substituting knee? Do you -- if you leave the posterior
2 cruciate ligament intact, do you completely release it?
3 Do you recess it? Do you lengthen it? Do you excise it?
4 There's, you know, just so many different options at the
5 time.

6 Q. In this case, other than the popliteus tendon,
7 what are the other ligaments or tendons that you released
8 if you can look at your operative report, if you could
9 tell me?

10 A. Here is one here. A subperiosteal medial
11 release was then performed for exposure.

12 Q. What ligament did you release at that point?

13 A. That's the superficial medial collateral
14 ligament.

15 Q. Thank you, Doctor.

16 Any other ligaments?

17 A. It would be the deep meniscal femoral and deep
18 meniscal tibial ligaments.

19 Q. Anything else?

20 A. No.

21 Q. Doctor, a tourniquet was used on Ms. [REDACTED]
22 leg. Is that correct?

1 A. Yes.

2 Q. Would that be to prevent the blood from
3 flowing down into the surgery site during the operation?

4 A. Yes.

5 Q. Tourniquet time is one hour and 45 minutes.

6 Can you tell me whether or not at any
7 time when you had closed the knee that the tourniquet was
8 released and you had to put it back on again?

9 A. Not that I remember.

10 Q. Okay. The total operation, does that equal
11 the tourniquet time? About an hour and 45 minutes?

12 A. Yes.

13 Q. Doctor, have you ever had a knee surgery where
14 you had to go back in and open up the knee two or three
15 times such as in Ms. [REDACTED] case?

16 A. Yes.

17 Q. Approximately how many?

18 A. Probably ten or twelve.

19 Q. Ten or twelve.

20 Okay. At the end of the surgery, did
21 you have a chance to speak to Ms. [REDACTED] about the
22 surgical procedure?

1 A. Well, yes.

2 Q. Do you recall what you told her?

3 A. No.

4 Q. Do you recall if you specifically told her
5 that you had cut the popliteus tendon during the surgery?

6 A. No.

7 Q. Do you have any recollection at all of any
8 conversation you had with Ms. [REDACTED] after a total knee
9 replacement surgery?

10 A. You mean about the surgery itself?

11 Q. Any conversation.

12 Do you recall the content of any
13 conversation you had with her after the total knee
14 replacement surgery?

15 A. You mean in the hospital?

16 Q. Anytime. Any conversation, if you can recall.

17 MR. FERRI: Anytime from the time you
18 did the surgery to the time that she was no longer a
19 patient.

20 A. She left -- the only thing that I can remember
21 is basically what I put in my records.

22 Q. So if it wasn't in the records, then you don't

1 have a recollection of the conversation?

2 A. No. I mean --

3 Q. "No" meaning --

4 A. I'm not sure what you mean.

5 Q. What I mean is --

6 A. I don't really follow that.

7 Q. We'll have your medical chart. What you said
8 is whatever conversation you had with her would be
9 reflected in the chart. Is there anything in addition to
10 what's in the chart that you remember?

11 A. You know, I do remember that day she and her
12 husband were unhappy with her progress. And I -- you
13 know, you always remember when you have somebody that
14 doesn't do as well as you want them to. And I remember
15 that she and her husband were struggling after the
16 surgery. And when I -- to tell you the truth, I always
17 do this. You know, if I have somebody that's struggling
18 or not doing well, I tell them that I'm sorry they're
19 having trouble. You know, I wish I could do something
20 different to help them.

21 I do remember talking to them about,
22 look, I know you're upset. You know, you've got to be

1 patient. I'm sorry you're having trouble. If you wait
2 long enough, we'll get you through this, which is, you
3 know, in my opinion, part of being a good doctor. And
4 just trying to reassure them that -- because I could tell
5 that they were not happy. And most patients that aren't
6 doing well a couple months after surgery aren't happy.
7 You know, it's just -- I've been through it myself. I've
8 had bad, you know, results from surgery. And you get out
9 in two, three months and you're not happy. You think
10 about going somewhere else.

11 Q. Anything else that you can recall?

12 A. Not -- nothing particular other than I do
13 remember that we -- I tried to reassure them that we were
14 going -- things were going to be okay.

15 Q. Okay. Did you then have Ms. [REDACTED] come back
16 in perhaps a month later for a manipulation of the leg?

17 A. She did have a knee manipulation, yes.

18 Q. Can you tell me what you did during that
19 procedure?

20 A. It's pretty basic. A lot of patients, if
21 they're not getting their range of motion as quickly as
22 you'd like them to -- and it's standard of care. I mean,

1 it's -- everybody that does knee replacements do some
2 manipulations. You put them to sleep briefly -- a minute
3 or two. And the first thing you do is you straighten the
4 knee out. And then you bend it. And it breaks up the
5 adhesions or the scar tissue in the knee.

6 Some patients do great afterwards. Some
7 of them really start flying when it comes to their range
8 of motion. Some of them it doesn't help. And it can be
9 very discouraging to have a patient who's range of motion
10 is not what you want it to be -- or not what they want it
11 to be.

12 And it's one -- when I think about knee
13 replacements, though, I can tell you that anybody who
14 does total knees, no matter how many they've done, has
15 got knees that are stiff. And I give that talk at
16 national meetings. And I usually poll the audience: How
17 many people here have had problems with stiff knees?
18 Everybody in the whole room will raise their hands.

19 Q. Doctor, I believe you mentioned before when
20 you commented on Dr. Petrera's subsequent treatment of
21 Ms. [REDACTED] that the really only thing left for her was
22 to go in and try to remove some scar tissue. Is my

1 recollection accurate?

2 A. I think that would have been the next best
3 choice.

4 Q. Next best thing.

5 When you did the manipulation to break
6 up the adhesions or scar tissue, would there be more scar
7 tissue after a period of time that you would have to go
8 back in and break up again through either a manipulation
9 or a surgical procedure?

10 A. I'm not really totally sure what your question
11 is. But a lot of times when you do a knee manipulation
12 the scar tissue does not reform and the patients are able
13 to maintain that range of motion. When you go in and you
14 do an arthroscopic -- and you can do a knee manipulation
15 within the first couple months. If you wait more than
16 two or three months after the surgery and you try to do
17 it, you can fracture femurs, tibias, rupture tendons.
18 It's really not safe to do it after a few months,
19 although some people do.

20 Q. Well --

21 A. If you do an arthroscopic lysis of adhesions,
22 you can do that two or three years after their surgery.

1 And it's very safe, because you're actually going in and
2 you're removing the scar tissue, which is relatively
3 mature. And for some reason most of those patients do
4 not reform the kind of scar -- the type of scar tissue
5 they had before.

6 Q. Is there anything in the records that you
7 reviewed from Dr. Petrera's treatment of Mrs. [REDACTED]
8 that suggested or indicated that there was scar tissue
9 present when he did the subsequent total knee
10 replacement?

11 A. Can I look at his operative report?

12 Q. Sure.

13 A. I don't know that I have it, though.

14 (The deposition was interrupted.)

15 THE WITNESS: Do you mind if I answer
16 this pager?

17 MR. GASTON: Go right ahead.

18 (A recess was taken.)

19 - - - - -

20 [REDACTED], M.D., resumes

21 (The reporter read the pending
22 question.)

2 BY MR. GASTON:

3 Q. Okay. In the operative note, it says at least the procedure
4 would have been beneficial to remove the scar tissue
5 present at the time. We would agree with that?

6 A. No.

7 Q. Why not?

8 A. Because the amount of scar tissue removed was
9 basically just part of the procedure. A subperiosteal
10 medial release, which is a procedure that everybody does -- there was
11 some hypertrophic synovium that was removed from the gutters. I
12 don't really understand why that was not done.

13 Q. Again, you're saying that you're not in your mind.

14 Q. Well, you indicate that one of the reasons
15 for problems with knees after replacement surgery
16 is that scar tissue builds up.

17 A. Yes.

18 Q. One of the options for a patient is to go back
19 in and have the scar tissue removed. In this case it
20 could be by arthroscopic means.

21 A. That's true.

22 Q. Is there anything in the operative note that

1 you just reviewed from I [REDACTED]'s total knee
2 replacement of Ms. [REDACTED] suggested that there was
3 scar tissue there that could have been removed that may
4 have benefited the patient in terms of motion in her knee?

5 A. Yes.

6 Q. Okay. Where

7 A. He talks about hypertrophic synovium
8 which you can remove through arthroscopy. It also
9 says release the gutters. Gutters are on either side
10 of the knee. There's -- [REDACTED] part of the joint
11 space -- and as part of arthroscopic release of
12 adhesions, that's what's [REDACTED] the areas you
13 concentrate on is you release the scar tissue in the
14 medial-lateral gutters.

15 Q. Okay. Thank

16 [REDACTED] Let me [REDACTED] some of your answers,
17 Doctor. Your attorney [REDACTED] assist you with that.
18 I just want to go over t

19 [REDACTED] Do you [REDACTED] go Rasisis?

20 [REDACTED] MR. FEE [REDACTED] Rasisis.

21 BY MR. GASTON:

22 Q. He's one of the [REDACTED] who have been.

1 identified in the case.

2 A. Yes.

3 Q. How do you know?

4 A. Just because [REDACTED] expert witness.

5 Q. I mean, do you have a professional
6 relationship with him? [REDACTED] him in the past?
7 Anything of that nature?

8 A. No.

9 Q. Okay. Have you spoken to Dr. Leo Rasis
10 regarding this case?

11 A. No.

12 Q. How about Dr. [REDACTED]-1? Do you also know
13 him?

14 A. Yes.

15 Q. How do you know?

16 A. He and I have [REDACTED] at a lot of
17 meetings together. Not [REDACTED] couple.

18 Q. Okay.

19 A. We've never [REDACTED] -- if that's what
20 you mean.

21 Q. Have you spoken [REDACTED] about this case?

22 A. No.

1 Q. Okay. Other than [REDACTED], Dr. Rasis and
2 Dr. Vail, is there any other person that you're aware of
3 that has knowledge of the material to this case?

4 A. The reason I'm asking you this is that I
5 need to know if there's any person who's going to
6 come to testify so I can do a deposition. No one
7 was specifically identified in the answers to
8 interrogatories.

9 A. No.

10 Q. Okay. Are you aware that Ms. [REDACTED] did
11 anything or acted in a manner that contributed to her
12 condition?

13 A. No.

14 Q. Okay. Did the treatment by Dr. Petrera
15 contribute to Ms. [REDACTED] condition of pain in
16 the knee?

17 A. Yes.

18 Q. How did that contribute to that condition?

19 A. I think he should have left her alone and
20 given her more time.

21 Q. What specific aspects of his treatment do you
22 believe contributed to her condition?

1 A. I don't think [REDACTED] any better off than
2 she was before. All he [REDACTED] another surgery.

3 Q. That's sort of [REDACTED] question.

4 My ques [REDACTED] specifically: Did
5 he do anything that caus [REDACTED] contributed to her current
6 complaints of pain?

7 A. He's the one [REDACTED] the last surgery, and
8 she still has pain. So [REDACTED] he's got now was --
9 it's just as likely that [REDACTED] was contributing to
10 her knee as anyone else' [REDACTED] as anybody's.

11 Q. Can you expla [REDACTED] that basis for that
12 statement that his surge [REDACTED] just as likely that
13 his surgery -- I'm sorry [REDACTED] as likely that her
14 current complaints are a [REDACTED] result of his surgery
15 and not your surgery tha [REDACTED] redone?

16 MR. FEF [REDACTED] to form. But that's
17 okay.

18 A. I don't reall [REDACTED] the question.

19 Q. What medical [REDACTED] did Dr. Petrera perform
20 that you believe is caus [REDACTED] current
21 complaints of pain?

22 A. I just think [REDACTED] unnecessary knee

1 revision.

2 Q. Okay. Do you have an opinion as to whether
3 her complaints of pain are the same, more or less
4 than before the surgery?

5 A. I have not seen her, so I can't say.

6 Q. Okay. Can you tell me the correct name of the
7 medical group that you work for?

8 A. It's Dickinson Medical Group.

9 Q. Do you know if Dickinson is a corporation or a
10 partnership?

11 A. A corporation.

12 (The deposition was interrupted.)

13 THE WITNESS: I get this?

14 MR. GASTON:

15

16

17

18 [REDACTED], resumes

19 BY MR. GASTON:

20 Q. Is that legal name the same now as it was
21 when you performed the total hip replacement on
22 Ms. [REDACTED]?

1 A. No.

2 Q. Can you

3 A. It used to be [REDACTED] Laware Bone & Joint
4 Specialists. Is that what [REDACTED]?

5 Q. Well, what I [REDACTED] understand is: We
6 filed a claim against you [REDACTED] person you worked for
7 at the time. We identified [REDACTED] group as the Dickinson
8 Medical Group. Is that [REDACTED] group?

9 A. Yes, it is.

10 Q. Thank you.

11 [REDACTED] One question [REDACTED] lawyer might assist me
12 with, because I can't ask [REDACTED] lawyer individual
13 questions. It's Question [REDACTED]

14 [REDACTED] MR. FEE [REDACTED] let me see. 20.

15 BY MR. GASTON:

16 Q. I asked you, [REDACTED] were the defendant,
17 if you claim that the [REDACTED] certificate and report filed
18 in any way does not satisfy [REDACTED] statutory requirements.
19 It's more of a legal question [REDACTED] anything, but I can't
20 ask an attorney a specific [REDACTED] question. That's why I have
21 to direct it to a party. [REDACTED] answer was, yes, it did not
22 conform to statute. I want to know if you're still

1 making the contention at [REDACTED].

2 MR. FEE [REDACTED], I can speak to that.

3 MR. GASTON [REDACTED]. Thank you.

4 MR. FEE [REDACTED], I can speak to that. And I

5 think, to the best of my [REDACTED] opinion, that was put in

6 there -- and I would have [REDACTED] review the certificate.

7 But I think it did not [REDACTED] that Dr. Petrera did not --

8 the 20 percent rule, I think [REDACTED] basis for that.

9 Okay. [REDACTED] and corrected.

10 BY MR. GASTON:

11 Q. Okay. So would it be fair to say that with
12 respect to the answer to [REDACTED]atory No. 20 that there
13 is not going to be a change [REDACTED] to the expert
14 certificate or expert report [REDACTED] by the plaintiff in
15 this case?

16 MR. FEE [REDACTED] is correct.

17 MR. GASTON [REDACTED] thank you very much.

18 BY MR. GASTON:

19 Q. Have you ever [REDACTED] a partial or total
20 knee replacement where you [REDACTED] go back in and put in a
21 different size component [REDACTED]?

22 A. On a partial [REDACTED] Yes. You go in and do a

1 different insert?

2 MR. FEF [REDACTED] partial or a total?

3 MR. GASTON: Well, we'll do partial and
4 total.

5 MR. FEF [REDACTED]

6 BY MR. GASTON:

7 Q. Did --

8 A. Yes.

9 Q. Okay. How about --

10 A. You mean as a redo? Or during
11 the surgery?

12 Q. No. A redo.

13 A. Oh, yes. Yeah, I've had to redo some tibial
14 liners.

15 Q. Can you explain the circumstances under
16 which you had to go back and redo the tibial liner?

17 A. Well, there's a couple of circumstances.
18 One is where it's worn out. There's been an abnormal or
19 excessive wear. I've had to go back and redo some tibial
20 liners for knees that were imbalanced. Moments weren't balanced.
21 That's pretty much the two reasons for doing a...

22 Q. No. 30 is a [REDACTED] question. I ask if you

1 claimed at any time that [REDACTED] made a false
2 statement with regard to [REDACTED] of the claim.

3 MR. FE [REDACTED] answer. No known to
4 date. And we would update [REDACTED] if we discover.

5 MR. GA [REDACTED] thank you. I think I'm
6 almost done. Give me o [REDACTED]

7 BY MR. GASTON:

8 Q. You made a c [REDACTED] you believe that
9 Dr. Petrera's total knee [REDACTED] of Ms. [REDACTED] was
10 unnecessary. I'm going [REDACTED] you have an opinion
11 whether or not he commi [REDACTED] practice during that
12 surgery or not.

13 A. No more so t [REDACTED]

14 Q. Well, that d [REDACTED] no.

15 All ric [REDACTED] claiming he
16 committed malpractice d [REDACTED] treatment of
17 Ms. [REDACTED]?

18 A. Do I claim it [REDACTED]

19 Q. Yes, sir.

20 Did he [REDACTED] follow the standard of
21 care during his treatmen [REDACTED]?

22 A. It doesn't re [REDACTED] whether I think he

1 has or not.

2 Q. Well, I need to know if you're going to make
3 that claim at trial, in your deposition or a statement to that
4 degree that he committed the crime.

5 MR. FEELEY: I'm not sure I would ask it,
6 but I will let him answer the question in the event that
7 I do. I haven't made the decision. If you have an
8 opinion. If you don't have an opinion at this time, you
9 can so state, and we can move on.

10 A. Yeah. I don't have an opinion at this time.

11 MR. FEELEY: I will advise you if there is
12 going to be any such opportunity.

13 MR. GASCH: I understand because he doesn't have one
14 now, if that comes about, he will have the option to,
15 then, reconvene the deposition to get his factual basis
16 for that opinion?

17 MR. FEELEY: Yes, sir.

18 MR. GASCH: That's all the questions I
19 have.

20 The parties have agreed that
21 Dr. [REDACTED] will make copies of deposition Exhibits 3
22 and 4. Copies will be provided to me with the originals

1 to be retained by defense for trial.
2 MR. FEL [REDACTED] no questions. We'll
3 read.
4 (The deposition concluded at 6:35 p.m.
5 this same day.)

6
7 - -
8
9 (I HAVE READ THE FOREGOING DEPOSITION,
10 AND IT IS TRUE AND CORRECT TO THE BEST OF MY KNOWLEDGE.)

11
12
13
14
15 WITNES

16
17
18
19
20
21
22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

INDEX OF TESTIMONY

[REDACTED], M.D.	PAGE
Examination by Mr. [REDACTED]	3

INDEX OF EXHIBITS

[REDACTED] DEPOSITION EXHIBIT NO.	PAGE
1 Answer of Defendant [REDACTED] Wilson Medical Group to Interrogatory No. [REDACTED]	3
2 Answer of Defendant [REDACTED], M.D. to Interrogatory No. [REDACTED]	3
3 X-ray retained by [REDACTED]	3
4 X-ray retained by [REDACTED]	3
5 Curriculum Vitae	5

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C E R T I F I C A T E

STATE OF DELAWARE:
:
NEW CASTLE COUNTY:

I, Robert Wayne [redacted], a Registered Professional Reporter and [redacted] within and for the County and State afore [redacted] hereby certify that the foregoing deposition of [redacted] M.D., was taken before me, pursuant [redacted] at the time and place indicated; that said [redacted] was by me duly sworn to tell the truth, the who [redacted] and nothing but the truth; that the testimony [redacted] was correctly recorded in machine short [redacted] and thereafter transcribed under my super [redacted] computer-aided transcription; that the de [redacted] a true record of the testimony given by the [redacted] and that I am neither of counsel nor kin to any [redacted] said action, nor interested in the outcome [redacted]

WITNESS my hand [redacted] seal this 1st day of June A.D. 2006.

Robert Wayne [redacted]
ROBERT WAYNE [redacted], RP.
REGISTERED PROFESSIONAL REPORTER
CERTIFICATION NUMBER [redacted]
(Expires Jan [redacted] 2008)

<p>A</p> <p>able 53:12 abnormal 63:18 acceptable 26:1 accepted 26:8 36:3 access 41:7 accurate 5:10 53:1 acted 58:11 action 3:9 68:17 actions 58:14 add 59:2 addition 50:9 additional 14:9 address 5:13 adhesions 23:18 24:2 52:5 53:6,21 56:12 adjust 26:1,3 advise 64:4 65:11 advised 14:6 aforesaid 68:7 ago 9:10 10:4,20,21 agree 17:15 19:9 55:5 agreed 65:20 ahead 22:6 43:6 54:17 allegation 17:22 allows 25:15 American 5:1,1 amount 25:15,17 55:8 amputation 13:6 and/or 6:3 annual 5:2 answer 14:15 18:14 18:15 37:17 43:16 43:21 46:5 54:15 61:21 62:12 64:3 65:6 67:12,15 answered 6:3,8 answering 5:21 answers 3:21 4:4 5:19 6:4,7,11</p>	<p>56:16 58:7 anterior 45:19 46:4 46:17 anticipate 21:14 43:8 anticipated 21:11 anybody 18:20 52:13 anybody's 59:10 Anytime 49:16,17 anyway 31:4 APPEARANCES 1:17 2:1 appropriate 46:14 approximately 1:15 7:8 48:17 area 23:16 areas 22:7 56:12 arthritis 29:22 30:14,16,21 arthroplasty 27:22 28:10 29:1,10 arthroscope 23:7 25:2 30:1 56:8 arthroscopic 23:18 30:8 32:11 44:3 53:14,21 55:20 56:11 arthroscopy 32:5 arthrosis 30:3 aside 42:3 asked 4:10 5:18 13:13 14:20 19:20 27:16 61:16 asking 19:22 58:4 asks 15:4 aspect 43:11,12 64:2 assist 20:10 33:14 56:17 61:11 assistant 33:11,13 33:16 Association 5:1 assume 3:20</p>	<p>attached 4:4 25:14 attorney 3:9 11:5 56:17 61:20 attorneys 14:3,6 audience 52:16 available 34:19 35:1 Avenue 2:3 average 29:14 aware 58:2 A.D 68:18</p> <hr/> <p>B</p> <p>B 1:4,13 3:1 5:14 44:1 54:20 60:18 67:3,15 68:8 back 19:3 30:12 36:10,22 37:22 39:9 40:5,20 41:2 41:14 43:8,12,20 44:12,20 48:8,14 51:15 53:8 55:18 62:20 63:16 bad 16:16 20:18 21:1,20,22 51:8 balanced 63:20 basic 51:20 basically 49:21 55:9 basis 59:11 62:8 65:15 Bayhealth 33:10 beautiful 31:5 beginning 1:15 38:4 behalf 12:5,6,15 13:9 belabor 32:7 believe 20:20 22:22 26:18 30:19 52:19 58:22 59:20 60:11 64:8 BELVEDERE 1:1</p>	<p>bend 52:4 beneficial 55:4 benefited 56:4 benign 23:8,10 best 53:2,4 62:5 66:10 better 18:22 23:5 23:12 59:1 big 18:1 19:6,17 24:3 39:15 46:17 birth 5:16 bit 23:2 34:14,14 36:3,4,10 38:16 45:3 blood 23:10 39:8 48:2 bone 25:15,17 34:2 34:7 38:9 61:3 border 39:20 bottom 37:19 Box 2:4 breach 15:19 break 3:22 4:1 43:18 53:5,8 breaks 52:4 briefly 52:2 bring 4:10 26:21 27:16 brought 10:8 builds 55:16 bunch 23:19 Burnie 1:20</p> <hr/> <p>C</p> <p>C 68:1,1 call 32:19 called 10:13 31:12 61:3 canal 25:13 capsule 39:10,11 40:10 43:5 care 15:10,10,19,20 51 22 64:21 cared 36:3</p>	<p>carrier 7:21 8:1,6 8:15 carriers 7:14 case 1:9 5:18 9:10 9:14,17,20 10:20 11:1,4,11,14,20 12:19 13:1,4,7,14 15:7,12 17:11 22:10 25:7 34:3 35:4 47:6 48:15 55:19 57:1,10,21 58:3 62:15 cases 11:7,18,19 13:3,22 14:4,7 CASTLE 68:4 category 20:14,17 20:21 21:20 cause 15:11 18:1,4 21:10 30:22 caused 59:5 causes 24:20 29:20 causing 16:15,18 18:6,11 19:7 26:5 59:20 cement 38:9,13,19 38:20,21 cemented 38:1,7,8 39:5 41:12 censored 6:16 Center 33:10 centimeter 26:4 certain 5:19 17:1,5 17:7 18:13 25:15 Certainly 65:17 certificate 61:17 62:6,14 CERTIFICATI... 68:21 certify 68:7 challenge 62:13 chance 48:21 changed 4:3,18 6:2 6:5 changes 6:4,6</p>
--	--	--	---	--

<p>A</p> <p>able 53:12 abnormal 63:18 acceptable 26:1 accepted 26:8 36:3 access 41:7 accurate 5:10 53:1 acted 58:11 action 3:9 68:17 actions 58:14 add 59:2 addition 50:9 additional 14:9 address 5:13 adhesions 23:18 24:2 52:5 53:6,21 56:12 adjust 26:1,3 advise 64:4 65:11 advised 14:6 aforesaid 68:7 ago 9:10 10:4,20,21 agree 17:15 19:9 55:5 agreed 65:20 ahead 22:6 43:6 54:17 allegation 17:22 allows 25:15 American 5:1,1 amount 25:15,17 55:8 amputation 13:6 and/or 6:3 annual 5:2 answer 14:15 18:14 18:15 37:17 43:16 43:21 46:5 54:15 61:21 62:12 64:3 65:6 67:12,15 answered 6:3,8 answering 5:21 answers 3:21 4:4 5:19 6:4,7,11</p>	<p>56:16 58:7 anterior 45:19 46:4 46:17 anticipate 21:14 43:8 anticipated 21:11 anybody 18:20 52:13 anybody's 59:10 Anytime 49:16,17 anyway 31:4 APPEARANCES 1:17 2:1 appropriate 46:14 approximately 1:15 7:8 48:17 area 23:16 areas 22:7 56:12 arthritis 29:22 30:14,16,21 arthroplasty 27:22 28:10 29:1,10 arthroscope 23:7 25:2 30:1 56:8 arthroscopic 23:18 30:8 32:11 44:3 53:14,21 55:20 56:11 arthroscopy 32:5 arthrosis 30:3 aside 42:3 asked 4:10 5:18 13:13 14:20 19:20 27:16 61:16 asking 19:22 58:4 asks 15:4 aspect 43:11,12 64:2 assist 20:10 33:14 56:17 61:11 assistant 33:11,13 33:16 Association 5:1 assume 3:20</p>	<p>attached 4:4 25:14 attorney 3:9 11:3 56:17 61:20 attorneys 14:3,6 audience 52:16 available 34:19 35:1 Avenue 2:3 average 29:14 aware 58:2 A.D 68:18</p> <hr/> <p>B</p> <p>B 1:4,13 3:1 5:14 44:1 54:20 60:18 67:3,15 68:8 back 19:3 30:12 36:10,22 37:22 39:9 40:5,20 41:2 41:14 43:8,12,20 44:12,20 48:8,14 51:15 53:8 55:18 62:20 63:16 bad 16:16 20:18 21:1,20,22 51:8 balanced 63:20 Barbara 1:1 17:11 basic 51:20 basically 49:21 55:9 basis 59:11 62:8 65:15 Bayhealth 33:10 beautiful 31:5 beginning 1:15 38:4 behalf 12:5,6,15 13:9 belabor 32:7 believe 20:20 22:22 26:18 30:19 52:19 58:22 59:20 60:11 64:8 BELVEDERE 1:1</p>	<p>bend 52:4 beneficial 55:4 benefited 56:4 benign 23:8,10 best 53:2,4 62:5 66:10 better 18:22 23:5 23:12 59:1 big 18:1 19:6,17 24:3 39:15 46:17 birth 5:16 bit 23:2 34:14,14 36:3,4,10 38:16 45:3 blood 23:10 39:8 48:2 bone 25:15,17 34:2 34:7 38:9 61:3 border 39:20 bottom 37:19 Box 2:4 breach 15:19 break 3:22 4:1 43:18 53:5,8 breaks 52:4 briefly 52:2 bring 4:10 26:21 27:16 brought 10:8 builds 55:16 bunch 23:19 Burnie 1:20</p> <hr/> <p>C</p> <p>C 68:1,1 call 32:19 called 10:13 31:12 61:3 canal 25:13 capsule 39:10,11 40:10 43:5 care 15:10,10,19,20 51:22 64:21 cared 36:3</p>	<p>carrier 7:21 8:1,6 8:15 carriers 7:14 case 1:9 5:18 9:10 9:14,17,20 10:20 11:1,4,11,14,20 12:19 13:1,4,7,14 15:7,12 17:11 22:10 25:7 34:3 35:4 47:6 48:15 55:19 57:1,10,21 58:3 62:15 cases 11:7,18,19 13:3,22 14:4,7 CASTLE 68:4 category 20:14,17 20:21 21:20 cause 15:11 18:1,4 21:10 30:22 caused 59:5 causes 24:20 29:20 causing 16:15,18 18:6,11 19:7 26:5 59:20 cement 38:9,13,19 38:20,21 cemented 38:1,7,8 39:5 41:12 censored 6:16 Center 33:10 centimeter 26:4 certain 5:19 17:1,5 17:7 18:13 25:15 Certainly 65:17 certificate 61:17 62:6,14 CERTIFICATI... 68:21 certify 68:7 challenge 62:13 chance 48:21 changed 4:3,18 6:2 6:5 changes 6:4,6</p>
---	---	---	---	--

<p>chart 26:22 50:7,9 50:10 check 29:7 35:15 checked 34:16 45:10 chisels 38:22 Choi 12:9,12,16 choice 53:3 Choy 13:17 Circuit 1:3 3:10 circumstances 63:15,17 claim 61:6,17 64:2 64:8,18 65:3 claimed 10:15 64:1 claiming 58:10 64:15 claims 9:6 10:8 close 25:9 35:3,7 43:6 closed 38:15 39:11 42:18 48:7 closing 42:20 collateral 47:13 come 13:14 19:3 20:3 37:7 51:15 58:6 comes 35:20 36:5 39:8 52:7 65:14 coming 32:14 commented 52:20 committed 64:11 64:16 65:4 compare 25:21 compartment 30:16 complain 29:19 31:8 32:12 complaints 18:12 18:18 59:6,14,21 60:3 complete 17:20 18:2 32:21 33:6 completely 47:2</p>	<p>Complications 4:22 component 17:17 17:22 18:5 25:18 41:11,11 42:8 62:21 components 16:14 17:22 19:6,16 21:9 24:3 38:6 40:14,15 41:15,17 compression 38:10 computer-aided 68:14 concentrate 56:13 concept 19:5 concluded 66:4 condition 58:12,15 58:18 conform 61:22 considered 23:15 24:4 27:12 45:21 ConstaVac 39:6 consultation 9:13 content 49:12 contention 62:1 continue 31:8 continued 18:11 22:21 29:19 32:12 contribute 58:15 58:18 contributed 58:11 58:22 59:5 contributing 59:9 CONT'D 2:1 conversation 49:8 49:11,13,16 50:1 50:8 convert 32:20 copies 65:21,22 copy 4:10,15 5:3 corporation 1:8 60:9,11 correct 6:7 26:12 28:12 47:22 60:6</p>	<p>62:16 66:10 corrected 62:9 correctly 68:12 counsel 66:1 68:17 County 1:7 3:10 68:4,7 couple 4:19,21 14:2 17:14,16 24:22 33:2 35:21 51:6 53:15 57:17 63:17 course 7:7,12 35:13 court 1:3 3:10,15 3:17 11:12,14 13:14 cover 42:2 cruciate 26:3 45:19 45:19 46:4,5,17 46:19,20,22 47:2 crush 9:20 crushed 9:18 current 7:21 8:1 58:15 59:5,14,20 currently 3:10 curriculum 4:4 5:10 67:19 curve 37:1,1 curved 34:2 39:2,3 44:21,22 46:21 cut 25:11,16 41:3,5 42:11,19 45:15 49:5 cuts 41:16 cutting 25:14 42:20 CV 23:17 CYNWOOD 1:5 C-h-o-i 12:10 C-h-o-y 13:17</p> <hr/> <p style="text-align: center;">D</p> <p>D 2:2 damages 15:12 date 5:16 27:4 28:3 29:10 64:4 dated 28:10</p>	<p>dates 29:8 day 50:11 66:5 68:18 days 10:17 DE 1:9 dealing 15:11 December 8:7 27:7 28:18,21 decide 29:17 decided 32:8 44:20 decision 45:4 46:18 65:7 decisions 16:16 33:19,21 deep 47:17,17 defendant 61:16 67:12,15 Defendants 1:9 2:5 defense 66:1 degenerative 30:16 degree 15:5 65:4 Delaware 1:8,15 2:3,4 5:15 8:21 12:13 61:3 68:2 DENNIS 2:2 depicted 28:15 deponent 68:10,12 deposition 1:13 3:5 3:11 5:6 11:6,13 12:15 13:6 20:1 27:17 43:15 54:14 58:6 60:12 65:15 65:21 66:4,9 67:11 68:8,15 Depositions 14:1 describe 41:10 detail 45:18 detected 39:19 determined 14:4 develop 16:21 18:7 29:21 developed 10:15 30:2 device 44:3</p>	<p>devices 38:1 Dickinson 1:7,14 6:11 60:8 61:7 67:12 died 9:10 difference 26:4 different 33:18 43:2 47:4 50:20 59:3 62:21 63:1 direct 59:14 61:21 disagree 16:12,13 17:11 disagreed 16:18 disappointing 31:7 31:8 discouraging 52:9 discover 64:4 disease 29:22 30:10 doctor 3:8 4:3 5:9 5:18 6:15,22 7:12 8:14 9:5,11 10:10 12:6,7,8 13:8,22 15:4,17 16:9 25:6 26:21 27:4,11,20 28:3,14 29:12 30:5 33:1 34:1,18 35:3 47:15,21 48:13 51:3 52:19 56:17 doctor's 23:15 doing 40:22 50:18 51:6 63:21 Dr 12:12,16 16:3,6 16:15,19 17:3,3 17:12 19:11 24:13 33:11 52:20 54:7 56:1,19 57:9,12 57:21 58:1,2,14 59:19 62:7 64:9 65:21 drain 39:6,7,8 drawbacks 32:17 drill 25:12 DRIVE 1:5</p>
--	--	---	---	--

<p>dropped 9:14 duly 3:3 68:10 DuPont 1:8,14 5:14 D.C 13:5</p>	<p>62:13,14 experts 56:22 Expires 68:22 explain 20:20 59:11 63:15</p>	<p>56:20 59:16 61:14 62:2,4,16 63:2,5 64:3 65:5,11,17 66:2 67:17,18</p>	<p>Gaston 1:18 3:7,8 4:14 5:4,8 7:16,22 8:13 11:19,21 13:18,20,21 14:14 14:16 15:2,3,15 20:10,19 22:6 25:3,5 26:16,19 26:20 43:18 44:2 54:17 55:2 56:21 60:14,19 61:15 62:3,10,17,18 63:3,6 64:5,7 65:13,18 67:5</p>	<p>65:2,12 good 17:6,8 19:3 45:9 51:3 gotten 38:21 great 17:1 18:2 21:8 45:6 52:6</p>
<p>E</p>	<p>explanation 32:13 exposure 47:11 55:9 extended 38:12 extension 35:18 38:9 extent 15:12 extra 38:21</p>	<p>figure 36:17 filed 9:6 61:6,17 62:14 film 28:3 find 8:10 30:13 33:8 Findings 30:17 finger 9:18,18 fingertip 9:19 finish 24:6 finished 34:14 first 3:2 14:20 33:11 35:12,14 44:12 46:3 52:3 53:15 fits 21:20 five 10:5,6,17 35:11 flexed 38:12,20 Floor 2:4 flowing 48:3 flying 52:7 follow 41:1 50:6 64:20 follows 3:4 foregoing 66:9 68:8 forgot 24:7 form 59:16 found 30:2 fracture 53:17 frankly 36:2 free 27:3 Fulkerson 10:14 full 28:18 29:5 32:2 32:9 35:17,17 38:9 F-u-l-k-e-r-s-o-n 10:14</p>	<p>13:18,20,21 14:14 14:16 15:2,3,15 20:10,19 22:6 25:3,5 26:16,19 26:20 43:18 44:2 54:17 55:2 56:21 60:14,19 61:15 62:3,10,17,18 63:3,6 64:5,7 65:13,18 67:5 generally 32:18 45:21 Georgia 9:2 getting 17:13 40:21 51:21 give 14:8 19:20,21 20:5 21:4 23:17 23:21 32:13 39:9 52:15 60:6 64:6 given 4:19,19,21 11:13 23:19 58:20 68:16 Glen 1:20 go 3:14 9:21 13:1,7 22:6 24:22 30:12 32:20 34:2 36:10 36:19 37:22 41:20 43:6,8 44:22 45:17 46:6 48:14 52:22 53:7,13 54:17 55:18 56:18 62:20,22 63:16,19 goes 25:13 34:7 going 5:3,21 14:18 15:7,9 20:3,12 21:22 22:8,13,19 24:8 27:2 31:2 38:17 42:19 43:1 45:14 46:3,7 51:10,14,14 54:1 58:5 62:13 64:10</p>	<p>Greg 33:11 group 1:7,14 6:12 60:7,8 61:7,8,8 67:13 guessing 8:5 guide 25:13,14 gutters 55:11 56:9 56:9,14 guys 36:2,6</p>
<p>E 68:1,1 earlier 40:19 EASTON 1:5 easy 36:18 41:17 44:8 effect 14:6 either 43:3,4 53:8 56:9 elected 43:6 elicit 19:19 eliminated 37:4 else's 59:10 Empire 1:19 enclosed 39:14 ended 9:18 13:6 entire 10:9 entity 60:20 equal 48:10 ESQUIRE 1:18 2:2 event 14:12 65:6 eventually 42:15 everybody 52:1,18 55:10 exact 45:8 Examination 67:5 examined 3:3 example 15:9 excess 38:20 excessive 63:19 excise 46:16 47:3 Excuse 4:9 exhibit 3:5 5:5,6,10 5:20,20 67:11 Exhibits 65:21 67:9 experience 35:20 experienced 7:1 expert 11:10 13:10 23:16 57:4 61:17</p>	<p>F</p>	<p>F 68:1 facilities 6:19 fact 17:19 facts 58:3 factual 59:11 65:15 fail 64:20 failed 9:13 30:20 failure 29:20 fair 62:11 falls 20:17,20 failure 29:20 fair 62:11 falls 20:17,20 false 64:1 far 25:6 26:4 40:22 fascia 44:8 feel 27:3 fell 20:14 felt 34:14 35:10 45:2,3,6 femoral 25:11,13 25:18 41:10 42:8 47:17 femur 25:12 femurs 53:17 Ferri 2:2 4:12 7:15 7:21 8:11 11:17 11:20 13:17,19 14:13,15,22 15:8 15:14 20:7,12 22:7,13,18 24:6 25:4 26:18 49:17</p>	<p>Georgia 9:2 getting 17:13 40:21 51:21 give 14:8 19:20,21 20:5 21:4 23:17 23:21 32:13 39:9 52:15 60:6 64:6 given 4:19,19,21 11:13 23:19 58:20 68:16 Glen 1:20 go 3:14 9:21 13:1,7 22:6 24:22 30:12 32:20 34:2 36:10 36:19 37:22 41:20 43:6,8 44:22 45:17 46:6 48:14 52:22 53:7,13 54:17 55:18 56:18 62:20,22 63:16,19 goes 25:13 34:7 going 5:3,21 14:18 15:7,9 20:3,12 21:22 22:8,13,19 24:8 27:2 31:2 38:17 42:19 43:1 45:14 46:3,7 51:10,14,14 54:1 58:5 62:13 64:10</p>	<p>H</p>
<p>dropped 9:14 duly 3:3 68:10 DuPont 1:8,14 5:14 D.C 13:5</p>	<p>62:13,14 experts 56:22 Expires 68:22 explain 20:20 59:11 63:15 explanation 32:13 exposure 47:11 55:9 extended 38:12 extension 35:18 38:9 extent 15:12 extra 38:21</p>	<p style="text-align: center;">G</p> <p>gap 41:13</p>	<p>65:2,12 good 17:6,8 19:3 45:9 51:3 gotten 38:21 great 17:1 18:2 21:8 45:6 52:6 Greg 33:11 group 1:7,14 6:12 60:7,8 61:7,8,8 67:13 guessing 8:5 guide 25:13,14 gutters 55:11 56:9 56:9,14 guys 36:2,6</p>	<p>hand 68:18 hands 52:18 happen 39:21 happened 8:7 happens 32:15 happy 19:21 23:22 37:20 51:5,6,9 hard 40:14 41:14 hardened 38:13,19 Harvard 17:4 head 3:18 21:3 hear 38:11,14 help 31:10 50:20 52:8 highly-skilled 7:1 Highway 1:8,15,19 5:15 hip 9:13 13:5 HITCHENS 2:2 hold 6:22 7:3 8:21 12:20 hole 25:12 hopes 24:2 Hopkins 17:4 hospital 17:4 49:15 hospitals 6:19 hour 48:5,11</p>

<p>hundred 21:21 45:7 hurt 20:22 21:17 husband 50:12,15 hypertrophic 55:11 56:7</p> <hr/> <p style="text-align: center;">I</p> <p>identification 3:6 5:7 identified 57:1 58:7 61:7 identify 27:18 28:7 impingement 24:16 implant 38:21 improve 23:6 improving 18:19 21:3 24:2 incident 8:7 incision 39:12 40:1 42:15 43:10 44:7 44:11 incorrect 18:5 INDEX 67:1,9 indicated 19:13,15 54:8 55:14 68:10 individual 61:12 infection 10:15,16 inform 45:15 information 9:14 injury 9:20 15:11 inner 39:12 insert 22:9 39:3,4 39:21 45:3 63:1 inserted 39:4,7 inserts 34:2,4,18 inside 31:19 39:13 44:11 instability 36:4 insurance 7:13 intact 24:20 47:2 intend 14:11 19:18 20:5 interested 14:7</p>	<p>68:17 interrogatories 4:5 5:19 6:11 58:8 67:13,16 Interrogatory 62:12 interrupted 39:14 43:15 54:14 60:12 invited 23:17 involved 14:5 irrigated 38:6 issues 15:11</p> <hr/> <p style="text-align: center;">J</p> <p>JAMES 2:2 January 68:22 jigs 25:12 joint 7:4 16:14 22:14,16 25:6,9 25:22 26:1,7,9,10 29:13 30:3,11,22 32:9,15 56:10 61:3 Jr 1:13 68:5,20 June 68:18</p> <hr/> <p style="text-align: center;">K</p> <p>kin 68:17 kind 31:3,3 45:17 45:21 54:4 knee 4:22 7:8 13:4 13:5 15:22 16:2,7 16:10,13,18,21,22 17:2,10,13,16 18:8,21 19:12 20:15,22 21:5,11 21:14,16,21 22:3 23:6 24:4,11,13 24:15 25:1 27:5 27:12,14,21 28:1 28:9,11,18 29:5 29:17 30:1,18 31:2,11,18 32:2 32:16,18,21 33:5 34:17 35:4,5,10</p>	<p>35:12,17,21 36:6 36:22 37:2,20,20 38:5,8,12,15,19 39:7,14,16,18 40:5,20 41:2 42:16,18 43:11 44:11,20 45:6,7 45:11 46:11,14,16 46:18 47:1 48:7 48:13,14 49:8,13 51:17 52:1,4,5,12 53:11,14 54:9 55:15 56:1,4,10 58:16 59:10,22 60:21 62:20,21 64:9 kneecap 29:22 knees 19:2 23:11 24:22 52:14,15,17 55:15 63:20 knew 24:5 know 3:15,20 4:1 7:19 8:5,6,14,16 10:4 11:3 12:2 13:8,13 15:6,8 17:17 18:14,21 19:5 20:2,3 21:6 21:15 23:13 25:6 25:16,18 35:20,20 35:21 36:7 41:18 45:20 47:4 50:11 50:13,17,19,22,22 51:3,7,8 54:13 56:19 57:3,12,15 58:5 60:9 61:22 65:2 knowing 26:7 knowledge 58:3 66:10 known 64:3</p> <hr/> <p style="text-align: center;">L</p> <p>lady 13:4 large 34:2</p>	<p>lateral 31:12,16,17 31:20 32:4,12 35:11 36:21 39:20 43:11 44:17 lavage 38:6 lawyer 13:13 15:4 61:11,12 lax 35:10 laxity 36:4,21 44:16 45:3 leave 47:1 lectures 4:19 left 23:1 24:19 27:5 27:21 28:9,11 36:7 49:20 52:21 58:19 leg 34:3 47:22 51:16 legal 60:20 61:19 63:22 lengthen 47:3 Leo 56:19,20 57:9 Let's 18:16 29:7 40:22 level 16:14 levels 26:8 Lewes 12:13 license 6:15 licenses 8:21 ligament 26:3 31:16,17 46:17,19 46:20 47:2,12,14 ligaments 41:19 45:19,19 46:11,13 47:7,16,18 63:20 light 18:18 limited 26:5 line 22:14,16 25:7 25:10,22 26:1,7,9 26:10 37:19 liner 34:15 35:13 35:15,22 37:1,1 37:19 39:4 43:2,9 44:21,22 45:1</p>	<p>46:21 63:16 liners 34:6 63:14 63:20 lines 36:19 37:22 little 23:2 34:14 35:10 36:3,4,7,10 38:16,22 41:13 45:3 LLC 1:7,18 LLP 2:2 located 7:19 12:12 long 8:2 10:20 29:12 51:2 longer 49:18 look 23:6 29:4 31:6 41:9 47:8 50:22 54:11 looked 26:6 30:1,12 31:11 37:21 looks 31:6 40:19 loose 34:15 loss 23:10 lot 18:21 23:4,9 24:11 35:20 36:2 51:20 53:11 57:16 57:17 lysis 23:18 24:1 53:21</p> <hr/> <p style="text-align: center;">M</p> <p>M 1:18 machine 68:13 main 9:9 63:21 maintain 53:13 making 42:15 62:1 mallet 9:18 malpractice 9:6,10 10:8 11:11,19,20 64:11,16 65:4 manipulation 51:16,17 53:5,8 53:11,14 manipulations 52:2 manner 58:11</p>
---	--	---	---	---

marked 3:6 5:5,7,9
5:20 27:17 28:6
Maryland 1:20
8:21 10:2,3 11:1
12:3,4
material 58:3
matter 9:21 17:2,5
31:2 52:14 64:22
mature 54:3
maximum 38:9
MD 1:2,5
mean 32:7 40:7,12
40:17,17 41:16,22
42:10 49:10,15
50:2,4,5 51:22
57:5,20 61:4
63:10 64:14
meaning 50:3
means 38:20
MedChi 8:16
medial 30:15,16
39:10,11,12 40:10
47:10,13 55:10
medial-lateral
56:14
medical 1:7,14 6:11
6:19 7:7,12 9:6
10:7 11:11,18,19
15:5 16:6 26:22
33:10 50:7 59:19
60:7,8 61:8 67:12
medicine 6:16 8:19
10:10
meeting 5:2
meetings 23:19
52:16 57:17
meniscal 47:17,18
mentioned 52:19
met 15:10
metal 39:5 41:10,11
42:8
Milford 1:9,15 5:15
MILLER 1:18
millimeters 26:2

mind 43:16 54:15
55:13
mine 4:8
minute 38:16 52:2
minutes 35:11 48:5
48:11
mistake 22:2,5
40:19
MM 1:11
Mm-hmm 11:21
29:3
moment 64:6
month 51:16
months 19:2,2 23:1
23:3,22 28:19,21
29:6 51:6,9 53:15
53:16,18
MORRIS 2:2
motion 21:1 24:1,2
26:5 34:16 35:16
35:17 37:10 39:17
39:18,19 51:21
52:8,9 53:13 56:4
move 42:3
multiple 23:18
M.D 1:4,13 3:1
5:14 44:1 54:20
60:18 67:3,16
68:8

N

name 3:8 5:13 11:5
60:6 66:15
named 9:9,12
names 7:13
national 52:16
nature 57:7
NCRIC 7:18,19 8:2
8:12
necessarily 43:1
necessary 45:22
necrosis 9:19
need 14:21 15:6
58:5 65:2

needed 34:22
negligence 11:18
14:5
neither 68:16
never 18:14 57:19
NEW 68:4
nod 3:18
normal 30:18
normally 29:12
37:9,16 56:10
North 1:8,14 5:14
Nos 3:5
Notary 1:14 68:6
note 27:4 30:7,17
33:2,9 35:13
44:16 55:22
noted 30:4 36:11
notes 30:4
notice 1:13 45:11
68:9
nurses 33:17

O

oath 3:3 14:8
Object 59:16
occasion 32:20
occasionally 24:16
occasions 23:18
October 29:4
offer 14:11,18
20:13 22:8,14,19
24:8
offhand 14:1
offices 1:14
official 68:18
Oftentimes 21:15
Oh 4:9 13:10,12,18
29:1 36:18 63:13
okay 3:14 4:2,13,15
4:17 5:3,9,12,18
6:7,10,14,18 8:4
8:14,18 9:5,15
10:12 11:6 12:8
12:14,21 13:3,12

13:16 14:11,14,17
15:16,21 16:9,17
17:9 18:15 19:8
19:18 20:12 21:18
22:1,13 25:6
26:14,16,19 27:11
28:6,11 29:9,12
29:16 30:19 32:7
33:1,8,8 34:1,9,12
34:18 35:3 36:8
36:16 37:11,14,18
37:22 38:14 40:4
40:9,11,21,22
41:5 42:9,13,22
43:7,10,14 44:3
44:10 45:14 48:10
48:20 51:14,15
55:3 56:6,15 57:9
57:18 58:1,10,14
59:17 60:2,6
61:14 62:3,9,11
63:5,9

Once 35:6
ones 9:9 14:8,9
33:18
one-inch 43:10
44:10
op 35:13
open 27:3 43:6
44:20 48:14
opened 35:12 36:22
40:4,20 44:12
opening 41:1
operate 21:21 22:2
22:4,5
operating 21:19
35:1
operation 36:13
48:3,10
operative 30:4,7,13
30:17 33:2 36:12
36:14,20 37:15
38:17 47:8 54:11
55:22

opinion 15:5,18,22
16:9,17 18:4,9,11
19:10,21 20:13
21:4 22:8,14,19
24:8 51:3 60:2
64:10 65:3,8,10
65:12,16
opinions 14:11,18
15:2,7,16,21
16:12,14 19:18,21
20:3,5 25:3 26:17
opportunity 16:5
option 65:14
options 47:4 55:18
order 41:21 42:3
original 25:9
originally 34:13
originals 65:22
orthopaedic 5:2 7:1
7:4 9:11
Osteonecrosis
30:15
osteotomes 38:22
outcome 68:17
outer 31:20,21
outpatient 23:8
24:1
outside 31:19 40:1
42:16 43:12
oversized 21:9

P

page 38:5 67:3,11
pager 54:16
pain 16:15 17:20
18:3,5,6,12 19:7
21:10 26:5 29:19
30:22 31:8 32:12
32:14 58:15,22
59:6,8,8,21 60:3
painful 16:13,18,21
17:10,12 18:8
19:2 20:15 21:21
22:3 24:18 31:2

32:14 pain-free 21:11,14 part 45:16,22 51:3 55:9 56:10,11 partial 27:12 32:18 62:19,22 63:2,3 particular 46:7 51:12 particularly 35:17 parties 65:20 partner 24:13 partnership 60:10 party 61:21 68:17 patella 31:12,13 32:11,14 39:13 patellofemoral 29:22 30:3,22 32:15 patient 9:10,12,18 10:15 12:6 13:14 15:20 16:13 21:16 21:19 36:20 39:9 44:16 46:2,6 49:19 51:1 52:9 55:18 patients 16:21 17:1 17:7,10 18:13,22 19:1 20:15,18 23:5,11,14,15 29:21 32:18 51:5 51:20 52:6 53:12 54:3 patient's 56:4 pending 3:10 54:21 people 18:7 31:1 52:17 53:19 percent 16:20 20:15,17 62:8 percentage 17:1,7 17:10 18:13 perfect 17:6 36:9 perfectionist 36:5,5 perform 24:1 28:22 59:19	performed 7:9 16:2 44:18 47:11 60:21 62:19 period 19:10 53:7 person 58:2,5 61:6 personally 24:19 pertaining 16:6 Petrera 16:3,6,15 16:19 17:3,12 19:11 58:14 59:19 62:7 Petrera's 52:20 54:7 56:1 64:9 physician 33:13 place 8:7 25:22 38:1,7,8 40:15,16 41:12,15,17 68:10 placed 39:16,18 plaintiff 1:2,20 62:14 64:1 plaintiffs 14:3 plaintiff's 11:3 13:10 plan 22:22 23:4,6 23:21 plastic 34:6 39:4 plateau 30:15 please 3:19 4:1 5:5 5:13 point 35:7 36:17 38:11 39:19 40:1 41:7 42:14 47:12 poll 52:16 popliteus 24:9,12 24:14 35:9 37:3 39:20 41:13 42:2 44:9 45:20 46:4,9 47:6 49:5 popping 37:3 45:11 posterior 26:2 36:21 43:11 44:17 45:18 46:5,19,20 46:22,22 47:1 postoperative	25:20 practice 6:15 23:1 practicing 8:18 10:9 preoperative 25:21 present 1:16 54:9 55:5 pressure 31:14 presumably 9:20 pretty 25:9 36:18 41:17 44:8 51:20 63:21 prevent 48:2 previous 8:14,16 prior 9:5 21:13 27:11 privileges 6:19 probability 15:6 probably 3:15 4:19 4:21 10:5 12:22 23:13 36:6 45:7 48:18 problem 31:9 59:10 problems 9:11 32:17 52:17 55:15 procedure 10:14 33:15,20 45:16,22 48:22 51:19 53:9 55:3,20 59:19 procedures 16:6 36:12 profession 7:7,13 professional 1:14 57:5 68:6,21 progress 50:12 proper 22:9,15,17 provide 38:9 provided 12:15 65:22 prudent 18:22 Public 1:14 68:6 pulsatile 38:6 pursuant 1:13 68:9 put 19:22 21:5 24:5	25:12,13 30:11 34:13,15 35:12,12 36:11 37:6,9,12 40:17 43:2,9 45:5 45:5 48:8 49:21 52:2 62:5,20 p.m 1:15 66:4 P.O 2:4 Q question 3:19 14:17 14:20 20:11 28:20 29:16 32:8 42:13 43:21 46:6,20 53:10 54:22 55:12 59:3,4,18 61:11 61:13,19,20 63:22 65:6 questions 3:21,22 5:22 6:3 20:8 27:2 33:3 61:13 65:18 66:2 quicker 23:9 quickly 10:16 51:21 Quinn 24:13 quite 21:3 36:2 R R 68:1 raise 52:18 raised 25:7 Raisis 56:19,20 57:9 58:1 range 21:1 24:1,2 34:16 35:16,17 37:10 39:16,17,18 51:21 52:7,9 53:13 56:4 rate 31:15 read 38:17 43:20 54:21 66:3,9 realign 31:13 really 8:5 50:6 52:7 52:21 53:10,18	55:12 59:18 64:22 reason 19:22 20:1 32:8 42:18 46:10 54:3 58:4 reasonable 15:5 reasons 17:14 21:4 25:10 55:14 63:21 reassure 51:4,13 recall 49:2,4,12,16 51:11 recess 43:19 47:3 54:18 recollection 49:7 50:1 53:1 62:5 reconvene 65:15 record 8:11 68:15 recorded 68:13 records 14:2,3 49:21,22 54:6 Recovery 23:9 redo 63:10,12,13 63:16,19 redone 59:15 refer 5:3 33:1 reflected 50:9 reform 53:12 54:4 regard 20:13 24:9 55:3 64:2 regarding 57:10 Registered 1:14 68:5,21 relationship 57:6 relatively 54:2 release 23:7 24:9 24:12,21 26:2 31:13,16 32:4,12 36:21 40:11,13 41:2,3 42:9 44:9 44:17,17 45:18 46:7,10,14 47:2 47:11,12 55:4,10 56:9,11,13 released 24:14,20 25:1 35:9 44:15
--	--	---	---	---

<p>45:10 47:7 48:8 55:11 releases 45:20 46:1 relevant 7:15 relief 17:20 18:3 remember 5:21 7:17 11:5 12:14 13:16 14:1,10 21:2 28:17 33:17 48:9 49:20 50:10 50:11,13,14,21 51:13 remove 25:17 46:17,18,19 52:22 56:8 removed 36:22 37:6 38:20 55:8 55:19 56:3 removing 54:2 reopen 35:4,7 repaired 9:17 replacement 9:13 13:5 16:2,7,10 17:2 18:8,21 19:12 24:13 27:12 28:14,18 29:5,13 29:17 32:2,3,9,18 32:21 33:5 35:5 46:16 49:9,14 54:10 55:15 56:2 60:21 62:20 64:9 replacements 5:1 7:5,8 16:21 17:17 24:11 32:16 35:21 36:6 52:1,13 report 30:13 36:12 36:14,20 37:16 38:18 47:8 54:11 61:17 62:14 reporter 1:14 3:15 3:17 43:20 54:21 68:6,21 represent 3:9 requirements</p>	<p>61:18 respect 6:10 18:10 62:12 respectively 3:6 response 3:21 responses 3:17 rest 30:17 restricted 6:16,20 result 17:2,8 18:2 19:3 20:18 21:8 21:20,22 45:9 59:14 results 51:8 resumes 44:1 54:20 60:18 retained 66:1 67:17 67:18 retinaculum 31:17 39:10,11 40:10 retransfused 39:9 review 16:5 56:16 reviewed 9:14 13:4 14:2,2,4 54:7 56:1 revising 24:4 revision 15:22 17:20,21 19:14,14 21:2,8,16 23:8 60:1 63:10 revoked 6:20 re-review 62:6 right 4:3 5:4 8:6 13:19 17:17 21:6 22:12 24:21 25:18 26:16,21 33:1 35:22 36:1 38:5 39:22 40:6,22 41:6 42:12 54:17 64:15 Ritchie 1:19 RN 5:2 Robert 1:13 68:5 68:20 Rodney 1:18 3:8 room 52:18</p>	<p>roughly 12:17 routinely 35:14 rule 62:8 rules 3:14 rupture 53:17 <hr/>S<hr/>safe 53:18 54:1 safely 41:18 SALISBURY 1:2 satisfy 61:18 saw 9:12 18:18 says 17:12 25:16 30:13 36:20 37:6 38:5,7 39:2,17 40:4,11 56:9 scalpel 41:8 scar 18:7 23:7 52:5 52:22 53:6,6,12 54:2,4,4,8 55:4,8 55:16,19 56:3,13 scores 19:1 seal 68:18 second 9:17 12:20 32:5 33:16 38:5 43:9 secondary 30:15 see 4:6 18:16 23:16 25:21 29:7 61:14 seen 9:12 19:1 39:21 57:6 60:5 seminars 4:22 Sender 33:12,12,15 September 28:10 30:2 setting 26:14 settled 10:18,19 11:14 13:7 seven 10:17 sew 44:11 shake 3:18 shaved 31:12 32:11 shorthand 68:13 show 5:20 28:6</p>	<p>shows 35:17 side 31:14,18,19,20 31:20,21 35:11 39:13 56:9 simultaneously 38:8 sir 27:9 64:19 sit 46:2 site 48:3 sits 41:9 six 19:2 size 17:17 18:6 21:6 22:12 34:1,22 35:22 45:1 62:21 sizes 16:14 34:21 sleep 52:2 slightly 45:2 small 44:7 smallest 34:8 snapped 24:17 37:1 39:3 44:21 snapping 24:17,20 36:7 38:11,14 39:19 socialized 57:19 soft 41:20 42:6 somebody 22:2 50:13,17 soon 28:17 sorry 4:9 13:18 24:7 29:8 40:21 50:18 51:1 59:13 sort 59:3 space 56:11 speak 48:21 62:2,4 speakers 57:16 Special 17:4 Specialists 61:4 specialty 7:4 specific 18:11 61:20 specifically 45:17 46:7 49:4 58:7,21 59:4</p>	<p>spelled 36:14 split 44:7 spoken 57:21 stability 34:16 35:16 37:10 45:11 stabilized 46:22 stable 34:17 37:2 37:20 stand 62:9 standard 15:9,10 25:12 51:22 64:20 standards 15:19 Stanford 17:5 stapled 40:2 start 52:7 state 5:13 9:1,3 10:1 12:2 62:7 65:9 68:2,7 statement 59:12 64:2 65:3 statute 61:22 statutory 61:18 steps 36:13 stiff 16:22 19:2 21:20 22:3 23:11 52:15,17 stop 3:19 39:22 straighten 52:3 stress 37:2 45:4 struggling 50:15,17 submit 5:19 subperiosteal 45:20 47:10 55:9 subsequent 52:20 54:9 substituting 47:1 sued 12:6 suggested 54:8 56:2 Suite 1:19 summer 23:20 superficial 47:13 supervision 68:14 supposed 25:19 29:13</p>
--	--	--	--	---

<p>sure 4:7,16 22:11 35:15,16 36:9 37:16 50:4 53:10 54:12 60:14 65:5 surgeon 7:1,4 24:11 33:10 surgeons 24:12,14 surgeries 18:21 21:13 surgery 16:1 17:4,6 17:15,21 18:2,8 18:16,20 23:2,8,9 23:11 24:21 25:2 25:8 26:6,7 27:3,4 27:7,11 28:15,19 28:22,22 29:20 30:8 32:5,11 33:2 33:4 34:19 35:8 36:17 45:14 46:10 46:15 48:3,13,20 49:5,9,10,14,18 50:16 51:6,8 53:16,22 55:15 59:2,7,9,12,13,14 59:15 60:4 63:11 64:12 surgical 27:3 33:9 33:15,20 45:16 48:22 53:9 surprises 20:2 suspended 6:16,20 sutures 39:15,15 40:8 switched 36:1 37:13 sworn 3:3 14:8 68:10 synovium 55:11 56:7</p> <hr/> <p style="text-align: center;">T</p> <hr/> <p>T 68:1,1 table 35:1 take 3:17,22 4:1</p>	<p>20:1 25:15,20 31:13 41:12 43:18 45:5 58:6 taken 1:13 3:11 11:6 28:4 38:21 43:19 54:18 68:9 takes 35:11 Talbot 1:7 3:10 talk 23:17,19 52:15 talked 11:8 14:9 57:9 talking 11:17 14:22 50:21 talks 56:7 teeny 38:22 tell 7:8,13 9:8 11:16 14:17 15:16 25:10 26:5 32:17 44:6 46:6 47:9 48:6 50:16,18 51:4,18 52:13 68:11 tells 17:9,21,21 ten 9:10 29:15 36:19 48:18,19 tendon 24:9,12,15 24:17,17,17 25:1 35:10 37:3,3 38:11 39:20 40:12 41:2,5,8,14,21 42:2,4,19 44:9,9 44:15 45:10,12,22 46:4,5,9 47:6 49:5 tendons 41:20 42:2 42:5 45:16,20 46:8,11,13 47:7 53:17 tensor 44:8 terms 15:1 TERRACE 1:1 tested 37:10 testified 3:4 11:10 13:8 testify 12:5 14:12 15:7,9 58:6</p>	<p>testimony 12:15 14:8 15:14 67:1 68:12,16 textbook 31:7 Thank 5:12 6:14 13:20 18:15 47:15 56:15 61:10 62:3 62:17 64:5 thereof 68:17 thicker 45:2 thigh 34:7 thing 4:18 42:7 49:20 52:3,21 53:4 things 35:19 51:14 think 11:22 12:20 14:4,5 16:15,16 18:6,17 19:5,13 19:16 22:4,19 23:3 24:10 43:1 51:9 52:12 53:2 58:19 59:1,22 62:5,7,8 64:5,22 thinnest 34:10 third 21:15,16 [REDACTED] [REDACTED] [REDACTED] thought 24:3 30:21 thousand 17:16 35:21 three 10:21,21,22 11:7 19:2 23:1,3 23:22 38:6 48:14 51:9 53:16,22 tibia 39:5 tibial 10:13 22:9 30:15 34:4 39:3 39:20 41:11 47:18 63:13,16,19 tibias 53:17 time 3:22 6:2 8:7 10:9 18:18 19:10</p>	<p>21:3 22:5 24:13 24:21 25:17 32:1 32:2 33:18 43:9 44:12 45:8,12 47:5 48:5,7,11 49:17,18 53:7 55:5 58:20 61:7 62:1 64:1 65:8,10 68:9 times 11:16 21:21 23:5 35:3 45:7,8 48:15 53:11 tissue 23:7 52:5,22 53:6,7,12 54:2,4,8 55:4,8,16,19 56:3 56:13 tissues 41:20 42:6 today 26:22 27:17 told 20:4 49:2,4 top 21:2 total 4:22 16:2 17:16 19:12 29:17 33:5 35:5 36:5 46:18 48:10 49:8 49:13 52:14 54:9 56:1 60:21 62:19 63:2,4,9 64:9 totally 53:10 tourniquet 47:21 48:5,7,11 Towers 1:19 trained 21:7 transcribed 68:14 transcription 68:15 tray 39:3,5 treat 22:21 treated 10:16,17 treatment 52:20 54:7 58:21 64:16 64:21 trial 9:21 13:1,7 14:12,18 19:19 20:3,5 35:12,12 35:14,15,22 36:11</p>	<p>36:16 37:5,7,9,12 65:3 66:1 trials 41:15 tried 31:11 40:11 40:12 41:5 51:13 trouble 50:19 51:1 troubles 29:18 true 55:21 66:10 68:15 truth 45:6 50:16 68:11,11,12 try 31:13 36:9 52:22 53:16 trying 36:16 37:5 41:2 42:1 51:4 61:5 tubercleplasty 10:13 Tuesday 1:15 turned 31:3 twelve 48:18,19 Twenty-one 8:20 twice 40:20 two 4:21 9:9 10:7 14:4 21:4 22:7 25:10 29:5 48:14 51:9 52:3 53:16 53:22 63:21 type 15:12 30:10 54:4 types 34:1 typo 38:8 39:17</p> <hr/> <p style="text-align: center;">U</p> <hr/> <p>unable 42:9 understand 3:19,20 37:5,8 46:3 55:12 59:18 61:5 unhappy 50:12 Uni 32:19 62:22 unicondylar 27:21 28:9,14,19,22 29:1,10,13,19,21 30:11,19 31:5</p>
---	---	--	---	--

32:2,16 unnecessary 16:1 16:10,11 18:17,17 59:22 64:10 unrelated 9:11 update 64:4 upset 50:22 use 34:19 35:14 38:22 39:7,8 44:3 45:2 46:21,21 usually 52:16	want 5:19 6:3 20:7 20:8 36:10 50:14 52:10,10 56:18 61:22 wants 15:8 wasn't 18:5 23:22 49:22 way 8:10 9:21 13:17 15:19 19:22 31:3 34:11 35:14 37:20 41:14 42:7 43:3,4 45:8 61:18 Wayne 1:13 68:5 68:20 wear 63:19 went 11:14 42:15 weren't 24:5 63:20 we'll 3:20 4:1 20:9 50:7 51:2 63:3 66:2 we're 41:1 46:6 we've 5:9,18 57:19 who've 19:1 Wilcox 1:13 68:5 68:20 WILLIAMS 2:2 Wilmington 2:4 Wilson 12:9 wind 20:15 wish 6:5 50:19 56:17 witness 3:2 4:13 11:10 15:13 20:16 22:11,16,22 24:10 43:16 54:15 55:1 57:4 60:13 66:15 68:16,18 won 9:20 word 41:3 work 29:15 31:11 32:19 60:7 worked 31:4 61:6 worn 63:18 wouldn't 36:2	wrong 19:7 29:8 <hr/> X XYZ 15:6 x-ray 27:18 28:1,8 67:17,18 x-rays 25:20,21 26:6 27:16 31:6 <hr/> Y yeah 4:16 8:11 12:11 14:19 26:11 26:13 42:21 63:13 65:10 year 8:3 12:14 18:20,22 19:3 years 8:18 9:8,10 10:4,6,21,22 24:15 29:15 53:22 <hr/> Z zero 25:17 26:14 ZOIS 1:18 <hr/> 0 0 25:16 03 8:8 28:18,21 30:2,8 <hr/> 1 1 3:5 5:21 21:5 39:14 40:8 67:12 1st 68:18 10 34:8,10,10 10th 2:4 5:2 28:18 28:21 1001 1:19 101-RPR 68:21 12 34:10 12/10/03 27:10 29:7 14 34:10 45:5 15 34:12,13,15 36:19,22 37:6 44:21 15-millimeter 39:2	17 34:11,15,17 35:13,22 36:1,11 36:16 37:7,9,10 37:13,19,21 45:5 45:5 17-millimeter 37:1 43:9 44:22 19801-2306 2:4 1990 12:17,18,22 19963 1:9 5:15 <hr/> 2 2 5:20 21:7 25:16 67:15 2,000 7:10,11 20 34:11 61:13,14 62:8,12 20-C-05-005540 1 11 2003 28:5,10 29:4 2006 1:15 5:2 12:22 68:18 2008 68:22 21061 1:20 21601 1:5 21804 1:2 222 2:3 23 34:11 23rd 28:10 2306 2:4 25 24:15 26th 30:2 28 29:4 <hr/> 3 3 16:20 20:14,17 27:18 65:21 67:5 67:12,15,17,17,18 30 1:15 23:13 34:11 63:22 302-888-6800 2:6 31 68:22 <hr/> 4 4 3:6 25:16 28:7	65:22 67:18 4/21/03 29:11 40 23:13 410-553-6000 1:21 45 48:5,11 <hr/> 5 5 5:6,10 16:20 20:14,17 67:19,19 5:10 1:15 50 23:14 522 1:5 <hr/> 6 6th 28:5 6/28/54 5:17 6:35 66:4 600 1:1 <hr/> 7 7310 1:19 <hr/> 8 800 1:8,14 5:14 <hr/> 9 9/26 30:8 9/26/03 30:9 99 45:8
---	--	--	---	--